

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

TUESDAY, MAY 3, 2016

9:07 A.M.

Deposition of MICHAEL KEEHAN,
held at the offices of White & Case, Five Palo Alto
Square, Palo Alto, California, before Louise Marie
Sousoures, a Certified Shorthand Reporter and a
Certified LiveNote Reporter

A P P E A R A N C E S

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

FOR THE PLAINTIFF/COUNTERCLAIM DEFENDANT:

DOVEL & LUNER

201 SANTA MONICA BOULEVARD

SANTA MONICA, CALIFORNIA 90401

BY: SIMON FRANZINI,

ATTORNEY AT LAW

FOR THE DEFENDANTS/COUNTERCLAIM PLAINTIFFS:

WHITE & CASE

FIVE PALO ALTO SQUARE

PALO ALTO, CALIFORNIA 94306

BY: PAN LEE,

CALE TOLBERT,

ATTORNEYS AT LAW

THE VIDEOGRAPHER:

DAN RANDALL, TSG REPORTING

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IT IS HEREBY STIPULATED AND AGREED
by and between the attorneys for the
respective parties herein, that filing and
sealing be and the same are hereby waived.

IT IS FURTHER STIPULATED AND AGREED
that all objections, except as to the form
of the question, shall be reserved to the
time of the trial.

IT IS FURTHER STIPULATED AND AGREED
that the within deposition may be sworn to
and signed before any officer authorized
to administer an oath, with the same
force and effect as if signed and sworn
to before the Court.

- oOo -

P R O C E E D I N G S

-oOo-

1
2
3
4
5 THE VIDEOGRAPHER: This is the start of disk
6 labeled number 1 of the videotaped deposition of
7 Michael Keehan in the matter of VideoShare, LLC versus
8 Google, Inc., and YouTube LLC, in the United States
9 District Court, District of Delaware, 13-CV-990-GMS.

10 This deposition is being held at 3000 El
11 Camino Real on May 3rd, 2016 at approximately 9:07
12 a.m.

13 My name is Dan Randall from TSG Reporting,
14 Inc. and I am the legal video specialist.

15 The court reporter is Louise Sousoures in
16 association with TSG Reporting.

17 Will counsel please introduce yourself.

18 MR. FRANZINI: Simon Franzini of Dovel &
19 Luner for the plaintiff, VideoShare.

20 MR. LEE: Pan Lee from White & Case LLP on
21 behalf of Google, Inc. and YouTube LLC and the
22 witness.

23 MR. TOLBERT: Cale Tolbert of White & Case
24 LLP on behalf of Google and YouTube LLC.

25 THE VIDEOGRAPHER: Will the court reporter

1 please swear in the witness.

2 --oOo--

3 MICHAEL KEEHAN,

4 having been first duly sworn by the
5 Certified Shorthand Reporter to tell
6 the truth, the whole truth, and nothing
7 but the truth, testified as follows:

8

9 THE VIDEOGRAPHER: Proceed.

10 EXAMINATION

11 BY MR. FRANZINI:

12 Q. Good morning, sir.

13 A. Good morning.

14 Q. What is your name?

15 A. Michael Keehan.

16 Q. What do you do for a living?

17 A. I'm retired.

18 Q. Did Google hire you as an expert in this
19 case?

20 A. Yes.

21 MR. FRANZINI: May I have this marked as
22 Exhibit 1, please.

23 (Whereupon, Exhibit 1, report of Michael
24 Keehan, was marked for identification.)

25 BY MR. FRANZINI:

1 Q. Showing you a document that's been marked as
2 Exhibit 1 says "Report of Michael T. Keehan" on the
3 front page.

4 Is this the expert report that you submitted
5 in this case?

6 A. It is.

7 Q. Do you expect to testify at trial?

8 A. I will if asked.

9 Q. Are you being paid for your work on this
10 case?

11 A. I am.

12 Q. How much are you being paid?

13 A. \$500 an hour.

14 Q. Is that your standard rate?

15 A. It is.

16 Q. When you say it's your standard rate, what do
17 you mean?

18 A. I've done a lot of consulting and when I was
19 working for Participation Systems, we charged \$10,000
20 for consulting, a consulting package in connection
21 with our software.

22 And that essentially consisted of my time and
23 I would spend two or three days with the client
24 working on issues about their particular application.

25 Q. When was the last time you were paid \$500 an

1 hour?

2 A. The -- let me see.

3 I'm not sure if I can talk about the previous
4 legal case that I was an expert witness in.

5 Q. You were --

6 A. Or was hired to be a consultant on for that
7 rate.

8 Q. You were an expert witness in a previous
9 case?

10 A. I was not an expert witness in a previous
11 case, but because it was settled.

12 Q. You were hired as an expert witness in a
13 prior case?

14 A. To consult and to provide expert testimony,
15 but it never went to court and I never had a
16 deposition.

17 Q. Did you tell Google that you had previously
18 been retained to participate in another case?

19 A. Yes.

20 Q. What was the name of the case?

21 A. It was the case for Vimeo.

22 I hesitate because I'm not sure what is
23 privileged information.

24 Q. Other than for cases involving my client
25 VideoShare, when was the last time you were paid \$500

1 an hour?

2 A. The Participation Systems was -- and that was
3 in the mid '80s.

4 Q. So when you said your standard rate was \$500
5 an hour, you were talking about how you were paid \$500
6 an hour in the mid '80s?

7 A. That's correct.

8 Q. And you haven't been paid that since?

9 A. No. Except for the case that we've
10 mentioned.

11 Q. How much have you been paid so far for your
12 work on the VideoShare V Google case and VideoShare V
13 Vimeo case?

14 A. Nothing.

15 Q. How much do you expect to be paid for your
16 work so far on those cases?

17 A. I haven't actually tallied it up, the number
18 of hours that I put in.

19 Q. What's your best guess of how many hours
20 you've put insofar?

21 A. I would say 30.

22 Q. So you'll be paid about \$15,000 for your work
23 on this case so far?

24 A. That's -- I haven't done the math, but yes,
25 uh-huh.

1 Q. What percent of your income is that for this
2 year?

3 A. For this year, it's almost all of it.

4 As I say, I expect to be paid for what I did
5 in the Vimeo case.

6 Q. Does -- withdrawn.

7 Was it your understanding that you would only
8 be retained if you provided certain opinions that
9 favored Google?

10 A. No.

11 Q. Does your testimony depend on the opinions
12 you reach?

13 A. Does my testimony depend on the opinions --

14 Q. Withdrawn.

15 Does being retained depend on reaching
16 certain opinions?

17 A. No.

18 Q. Do you think that Google would have hired you
19 if you had concluded that the VideoShare patents were
20 novel and nonobvious?

21 A. I don't know. I think they would -- it's
22 difficult to imagine that they would hire me to
23 support that opinion.

24 Q. So in that sense, being retained by Google
25 depends on you reaching certain opinions, right?

1 MR. LEE: Objection, form.

2 THE WITNESS: Well, it's an interesting
3 suggestion, but it's -- I think it was clear to all
4 when I talked to them about being involved in this
5 case what I had to offer in terms of my patent
6 application previously that was already on the table
7 and known.

8 So they knew where I was coming from and I
9 was hired to support that evidence.

10 BY MR. FRANZINI:

11 Q. You were also hired to provide expert
12 opinions, right?

13 A. Correct.

14 Q. When were you first approached by Google and
15 Vimeo?

16 A. I'm not sure. Last year, I'm guessing.

17 Q. In 2015?

18 A. I think so.

19 Q. Do you know approximately what month?

20 A. It would be toward the very end of the year,
21 conceivably it was in January.

22 It was a firm in DC that called me.

23 Q. I'm sorry, withdrawn.

24 Was the firm Akin Gump?

25 A. Yes.

1 Q. So Google -- withdrawn.

2 When were you retained by Google and Vimeo?

3 A. About five or six weeks ago.

4 Q. Did you have any written communications with
5 Google's lawyers before you were retained?

6 A. No.

7 Q. You mentioned that they first reached out to
8 you in the end of 2015.

9 Was that over the phone?

10 A. That was over the phone.

11 Q. Was there any follow-up to that conversation?

12 A. Let me see. You mean the call from Akin
13 Gump?

14 Q. Withdrawn.

15 I'd like to understand all of your
16 communications with Google from the moment they first
17 reached out to you until the moment you were retained
18 by them and that includes any communication with any
19 of their lawyers including the ones that are here
20 today and the ones at Akin Gump.

21 MR. LEE: I caution the witness you may
22 answer the question to the extent you don't reveal any
23 privileged communication.

24 THE WITNESS: Okay.

25 BY MR. FRANZINI:

1 Q. Withdrawn.

2 Please describe all communications you've had
3 with Google or Google's counsel from the moment they
4 reached out to you until the moment you were retained.

5 MR. LEE: Same instruction.

6 THE WITNESS: And Akin Gump was at the time
7 representing both Vimeo and Google, correct?

8 BY MR. FRANZINI:

9 Q. Yes.

10 A. I had very little communication with Akin
11 Gump.

12 They were interested in what I had to say
13 about the -- they had read the preliminary application
14 and they were just clarifying I was the correct
15 person.

16 They soon were out of the picture and let me
17 know that the case was moved, at least the Vimeo case,
18 was moved to Durie Tangri.

19 I had communications with Durie Tangri
20 about --

21 MR. LEE: Again, caution the witness not to
22 reveal any privileged communication.

23 If you can answer the question without
24 revealing any privileged communications, you may do
25 so, if you can.

1 THE WITNESS: Okay. So I first had
2 communications after Akin Gump with Durie Tangri and
3 let me see -- I think that we all know that I had an
4 arrangement with them to support their case regarding
5 Vimeo.

6 And then once they -- once Vimeo was settled,
7 I got a call from them that it was saying --

8 MR. LEE: Again, instruct you do not reveal
9 any privileged communications.

10 THE WITNESS: Right, okay.

11 So -- so I subsequently got a call from
12 Google or, rather, from White & Case.

13 BY MR. FRANZINI:

14 Q. When you spoke to Google's lawyers at Akin
15 Gump in December of 2015, did you tell them you had
16 information regarding the patent application that you
17 submitted -- withdrawn, let me get a better question,
18 withdrawn.

19 When you spoke to Google's lawyers at Akin
20 Gump in around December of 2015, did you tell them
21 that you had information about the Keehan patent
22 applications and VideoForum system?

23 MR. LEE: Objection, calls for privileged
24 communication.

25 I instruct the witness not to answer.

1 BY MR. FRANZINI:

2 Q. Were you -- withdrawn.

3 Had you been retained by Google in December
4 of 2015?

5 A. No.

6 Q. When you spoke to counsel for Google in
7 December of 2015, did you tell them you had
8 information to provide about the patent application or
9 about the VideoForum system?

10 MR. LEE: Objection, calls for privileged
11 communication, I instruct the witness not to answer.

12 BY MR. FRANZINI:

13 Q. Are you going to follow your counsel's
14 instruction?

15 A. Yes.

16 Q. Does White & Case represent you or are you
17 just a witness -- withdrawn.

18 Does White & Case represent you?

19 A. I'm not sure what "represent" means in this
20 case.

21 Q. Did you retain White & Case to represent you
22 in connection with this lawsuit?

23 A. I don't know if that's privileged
24 information, but I did not approach them with any need
25 for representation.

1 MR. FRANZINI: Pan, what's the basis for your
2 privilege instruction?

3 MR. LEE: Akin Gump represented both Google
4 and Vimeo at the time of contact and Mr. Keehan was
5 retained as an expert.

6 And so that falls under at least the expert
7 privilege -- communications privilege with an expert
8 and also common interest privilege to the extent it
9 involved Vimeo.

10 BY MR. FRANZINI:

11 Q. At the time when you were -- withdrawn.

12 When Akin Gump first reached out to you in
13 December of 2015, had you been retained by Vimeo as an
14 expert at that time?

15 A. No.

16 Q. Okay. When you spoke to counsel for Google
17 at Akin Gump in December of 2015, did you tell them
18 you had information to provide about the Knowledge
19 Assembly system or about your patent application?

20 MR. LEE: Objection, calls for privileged
21 testimony and instruct the witness not to answer.

22 MR. FRANZINI: Now we've established he
23 wasn't retained by Vimeo or Google at the time.

24 So what's the basis for the privilege
25 instruction?

1 MR. LEE: He was retained and so that covers
2 the interactions with our expert.

3 BY MR. FRANZINI:

4 Q. Are you withholding information based on your
5 counsel's instruction?

6 A. Withholding information? I'm following my
7 counsel's instruction.

8 Q. So you have information to provide and you're
9 not providing it as a result of counsel's instruction?

10 MR. LEE: Look, Simon, can we go off the
11 record for a second?

12 MR. FRANZINI: I have a question pending.

13 THE WITNESS: Pardon me?

14 BY MR. FRANZINI:

15 Q. I have a question pending. You have to
16 answer my question.

17 A. I'm following my counsel's instruction.

18 Q. So you know the answer to my question, but
19 you're not giving it because of Mr. Lee's instruction?

20 A. That's correct.

21 MR. LEE: Simon, can we go off the record to
22 clarify something?

23 MR. FRANZINI: Sure.

24 THE VIDEOGRAPHER: The time is 9:24 and we're
25 off the record.

1 (Recess taken.).

2 THE VIDEOGRAPHER: 9:25 and we're on the
3 record.

4 BY MR. FRANZINI:

5 Q. When you spoke to counsel for Google at Akin
6 Gump in December of 2015, did you tell them that you
7 had information to provide about the Knowledge
8 Assembly system and about your patent application?

9 A. Without objection, I will say that I
10 identified myself as the Michael Keehan who had
11 submitted that application.

12 Q. Did you tell them that you had information
13 about that application?

14 A. I said that I, as the author of it, knew the
15 context within which that application was made.

16 Q. Did you tell them you had documents about
17 that application at the time?

18 A. I think -- I said I wasn't sure what
19 documents I had at the time and I said that.

20 Q. What else did you talk about?

21 A. The person I was talking to was simply
22 interested in identifying me as the author of that
23 application and intended to pass me along to another
24 attorney once she had established that.

25 Q. Who was the person you spoke with?

1 A. Who was the person I spoke with then, I don't
2 remember.

3 Q. Did you have -- withdrawn.

4 I'm sorry, I didn't mean to interrupt.

5 A. Just the name, I don't remember the name.

6 Q. Did you have any other conversations with
7 attorneys at Akin Gump around that time?

8 A. Let me think.

9 The reason I'm hesitating is that she set up
10 a conversation, but I don't think it actually
11 happened. I can't remember it actually happening
12 because they soon thereafter switched to Durie Tangri.

13 And I believe that -- I'm remembering now
14 that when I talked to them, I did not have the
15 conversation that originally was proposed, but they
16 used that opportunity to tell me that they were
17 stepping out of the picture and that Durie Tangri
18 might contact me.

19 Q. When you first spoke to Akin Gump, did you
20 say you were going to go look for documents?

21 A. No.

22 Q. After that conversation, did you actually go
23 look for documents?

24 A. No.

25 Q. What documents do you still have about the

1 Knowledge Assembly system and about the Knowledge
2 Assembly patent application?

3 A. I bundled everything up that I could -- still
4 have now, not talking about in that time frame.

5 I did a search of documents, found very
6 little and sent them -- I mean, let me see.

7 So the next step in this whole process is
8 that I had a conversation with Durie Tangri.

9 MR. LEE: I caution the witness not to reveal
10 any privileged information.

11 THE WITNESS: Right. So that's why I'm
12 hesitating to answer the question.

13 Could you reframe it?

14 BY MR. FRANZINI:

15 Q. When were you retained by Durie Tangri?

16 A. Sometime after the first of the year. It
17 was -- must have been, I would think, late January.

18 Q. You mentioned that you found some documents
19 related to the Knowledge Assembly system.

20 What documents did you find?

21 A. Those -- let me see. This question of
22 privileged information -- because I wasn't asked by
23 Akin Gump to look for documents nor did I send
24 documents to Akin Gump.

25 Q. I'm not asking you about what documents you

1 sent to Akin Gump or anyone else.

2 I'm asking you what documents did you still
3 have about the Knowledge Assembly system.

4 A. Okay. And without objection, I will say that
5 I had very few documents. I had kept what I called a
6 process file which consisted of the -- some of the
7 comments that I had gotten from colleagues who were
8 helping me test out the system and approve it.

9 And so those I found I still had.

10 A few other odds and ends, but nothing of
11 much consequence, some screen shots. The file was
12 intended, I don't know, to remind me of what I would
13 need to take into consideration if I ever reproduced
14 the system.

15 Q. So -- withdrawn.

16 As of January of 2016, you had in your
17 possession a process file with documents describing
18 the Knowledge Assembly system?

19 A. That's -- well, yes, to the extent of a few
20 screen shots, yes.

21 Q. You had some comments from your colleagues in
22 that file?

23 A. I did.

24 Q. Were those e-mails?

25 A. Yes.

1 Q. How many of those e-mails did you have?

2 A. At least three and not much more than that,
3 if any.

4 Q. Was it just three or more than three?

5 A. I can't remember more than three. I can't
6 remember who else might have provided me with such
7 feedback.

8 Q. Well, in January of 2016 when you were
9 looking at these documents, were there just three
10 e-mails or were there more than three?

11 A. I think there were three e-mails.

12 Q. You also mentioned there were some screen
13 shots?

14 A. Correct.

15 Q. Were those screen shots even created at the
16 time of the Knowledge Assembly system?

17 MR. LEE: Objection, form.

18 THE WITNESS: Pardon me?

19 BY MR. FRANZINI:

20 Q. Were those screen shots screen shots you had
21 in your files since the early 2000s?

22 A. Yes, there were screen shots of that system
23 that existed then.

24 Q. In addition to the screen shots of the system
25 and the comments from your colleagues, what else was

1 in the process file you kept?

2 A. I had some notes from -- to myself from work
3 with groups in a beta test of the system regarding the
4 process and notes from meetings that I had with people
5 regarding their possible application.

6 Q. What else was in the process file?

7 A. That's pretty much it.

8 Q. Other than the process file, did you have any
9 other documents from or describing the Knowledge
10 Assembly system?

11 A. Surprisingly no.

12 Q. Did you have any source code?

13 A. No.

14 Q. Any backups or saved versions of the system?

15 A. No.

16 Q. Did you provide all the documents that we
17 just talked about to counsel for Google?

18 A. No.

19 Q. Why not?

20 A. I provided them to Durie.

21 Q. So you provided the process file including
22 everything we just talked about to Durie Tangri?

23 A. Correct.

24 MR. FRANZINI: Can I have this marked as
25 Exhibit 2.

1 (Whereupon, Exhibit 2, document entitled
2 "Federal Rules of Civil Procedure Rule 26," was marked
3 for identification.)

4 BY MR. FRANZINI:

5 Q. Showing you a document I marked as Exhibit 2,
6 it's a copy Federal Rule of Civil Procedure 26 which
7 governs required disclosures.

8 If you take a look at page 2, towards the
9 bottom of the page it says "Disclosure of expert
10 testimony." That's the portion discussing things that
11 you have to disclose about experts.

12 And in subsection B, it talks about witnesses
13 who must provide a written report and on the top of
14 page 3 it says "The report must contain a complete
15 statement of all opinions the witness will express and
16 the basis and reasons for them."

17 Is the report we marked as Exhibit A a report
18 that contains a complete statement of all opinions
19 that you're going to express and the basis and reasons
20 for them?

21 MR. LEE: Objection, form, calls for legal
22 opinion -- calls for a legal conclusion.

23 THE WITNESS: I don't -- I'm not sure what
24 the objection is.

25 I didn't hear the objection.

1 MR. LEE: My objection was it calls for a
2 legal conclusion, but you can answer.

3 THE WITNESS: So I -- let me see, a complete
4 statement of all opinions the witness will express and
5 the basis and reasons for them.

6 I think there are other things that I might
7 be asked regarding the industry, for instance, and
8 just more detail than exists in the statement itself.

9 BY MR. FRANZINI:

10 Q. So your report does not contain a complete
11 statement of all your opinions and all of the bases
12 and reasons for them?

13 A. No, I think -- my statement includes all of
14 same, but I'm open to being asked other questions that
15 are contextual for those statements.

16 Q. Well, sir, does your report contain a
17 complete statement of all the opinions that you will
18 express at trial?

19 A. Opinions, yes.

20 Q. Does it also include a complete statement of
21 the basis and reasons for them?

22 A. Yes.

23 Q. Please turn to -- withdrawn.

24 Please turn to Exhibit 1, your report.

25 Did you write this report?

1 A. Yes.

2 Q. Did you write the first draft of this report?

3 A. Yes.

4 Q. What percent of the words typed into this
5 report are written by you?

6 MR. LEE: Objection, form.

7 THE WITNESS: I'm sorry, objection --

8 MR. LEE: To the form of the question.

9 THE WITNESS: I should answer the question.

10 What percentage of the words typed in this
11 report were typed by me?

12 BY MR. FRANZINI:

13 Q. Yes.

14 A. I would say that two-thirds.

15 Q. Did you rely on anything other people told
16 you or wrote for any part of the report?

17 A. It was a learning experience for me what the
18 form was and what was being -- what was needed from me
19 in terms of the statement.

20 So I had certain knowledge and certain
21 experience and the form in which that was expressed in
22 my statement was something that I worked with counsel
23 on.

24 Q. Did you rely on anything anyone else told you
25 or wrote for any part of your report?

1 MR. LEE: Objection, asked and answered.

2 THE WITNESS: So I think I answered that.

3 BY MR. FRANZINI:

4 Q. He objects, but you still have to answer my
5 question until he tells you not to answer.

6 Withdrawn.

7 Did you rely on anything anyone told you or
8 wrote in preparing your report?

9 MR. LEE: Same objection.

10 THE WITNESS: Yes, I was influenced by
11 suggestions regarding form and legal requirements.

12 BY MR. FRANZINI:

13 Q. Did you rely on those suggestions?

14 A. Yes.

15 Q. Were they provided to you in writing?

16 MR. LEE: Now, I caution the witness not to
17 reveal any privileged communication.

18 MR. FRANZINI: Except if he relied on it,
19 it's not privileged.

20 THE WITNESS: If I relied on it, it's not
21 privileged?

22 BY MR. FRANZINI:

23 Q. Did you rely on -- withdrawn.

24 You mentioned that you relied on information
25 in preparing your report.

1 Was that information provided to you in
2 writing?

3 A. Some of it.

4 Q. What information was provided to you?

5 MR. LEE: I caution the witness not to reveal
6 any privileged information including to the extent it
7 calls for any information within any drafts of your
8 report.

9 MR. FRANZINI: Are you instructing him not to
10 reveal any information he relied upon?

11 MR. LEE: Counsel, as far as I understand it,
12 drafts are not discoverable here.

13 So what is in his draft report, that remains
14 privileged.

15 MR. FRANZINI: So yes?

16 MR. LEE: I mean I can't speak for the
17 witness.

18 I'm just instructing --

19 MR. FRANZINI: I don't understand if your
20 instruction is limited to things he did not rely upon
21 or also including things he did rely upon.

22 MR. LEE: To the extent that you're asking
23 him to reveal any of the information in the drafts of
24 his report, between him and counsel, I'm calling that
25 privileged and to that extent instructing him not to

1 answer.

2 MR. FRANZINI: Does your instruction include
3 information he relied upon in forming his opinions?

4 MR. LEE: No.

5 BY MR. FRANZINI:

6 Q. What information was provided to you that you
7 relied upon in forming your opinions?

8 A. Well, I had the copies of the patents from
9 VideoShare and the -- it was made clear to me what the
10 process was that needed to be addressed, that is to
11 take a look at what the -- to understand what the
12 claims are in those patents and to have my statement
13 respond to those claims in the context of what I had
14 done and my patent application.

15 Q. Did you rely on anyone's interpretation of
16 the claims in forming your opinions regarding the
17 validity of the '608 and '302 patents?

18 A. I take responsibility for what is in my
19 report.

20 Q. Well, my question was a little different.

21 It was did you rely on anything -- withdrawn.

22 Did you rely on someone else's interpretation
23 of the claims of the VideoShare patents in forming
24 your validity opinions?

25 MR. LEE: Objection, asked and answered.

1 THE WITNESS: I felt the need to have the
2 claims -- the meaning of the claims explained to me.

3 BY MR. FRANZINI:

4 Q. Did you rely on that explanation in reaching
5 your opinions about the validity of the '608 patent?

6 A. I feel as though I came to my own conclusions
7 about the -- about the validity of the claims and --

8 Q. I'm sorry, go ahead.

9 A. But I did have counsel explaining to me --

10 MR. LEE: Caution you not to reveal any
11 privileged communications.

12 BY MR. FRANZINI:

13 Q. Are you finished answering?

14 A. I think so.

15 Q. I understand you reached your own opinions,
16 but in reaching those opinions, did you rely on an
17 interpretation of the claims that was provided to you
18 by someone else?

19 A. I'm confused about what the meaning is in
20 this context of privileged information and what I can
21 talk about and what I can't in this regard.

22 Q. Well, it's a yes or no question.

23 Did you rely on an interpretation of the
24 claims that was provided to you by someone else in
25 reaching your opinions about the '608 or '302 patents?

1 A. Rely on it? I had my own opinions. I didn't
2 rely on somebody else's opinion, but I relied on
3 others' instructions about how to understand what the
4 claims -- what the significance of the claims were and
5 then formed my own opinion.

6 Q. So I understand you formed your own opinion
7 on the validity of the claims, but did you rely on
8 someone else's explanation about what the claims meant
9 in reaching that opinion?

10 MR. LEE: Objection, asked and answered.

11 THE WITNESS: I'm not sure what you're asking
12 now that's different than what you've asked me before
13 and I've answered.

14 BY MR. FRANZINI:

15 Q. Well, I don't think you answered my question
16 so I'm going to ask it again, withdrawn.

17 I understand you reached your own conclusions
18 regarding the validity of the '608 and '302 patents.

19 A. Right.

20 Q. But did you rely on someone else's
21 explanation of what the claims meant in reaching those
22 conclusions?

23 MR. LEE: Objection, asked and answered.

24 THE WITNESS: I feel like I've -- I have
25 answered that because I did not take their advice

1 about how to -- what to put in the statement, but I
2 did have interpretation of how to read a patent and
3 how to understand what the meaning is of various
4 claims.

5 BY MR. FRANZINI:

6 Q. Did someone else provide you information
7 about what the claims meant?

8 MR. LEE: Objection, form.

9 I also caution the witness not to disclose
10 any privileged communications.

11 THE WITNESS: So it feels to me as though
12 that's a privileged communication, then.

13 BY MR. FRANZINI:

14 Q. Did you rely on someone else's explanation of
15 what the claims meant in forming your opinion that the
16 patents' claims -- withdrawn.

17 Did you rely on someone else's explanation of
18 what the claims of the '608 and '302 patents meant in
19 reaching your opinion that the patents are invalid?

20 MR. LEE: Objection, asked and answered.

21 THE WITNESS: I feel like I've answered it.

22 BY MR. FRANZINI:

23 Q. I'm still entitled to an answer to my
24 question.

25 Are you refusing to answer my question?

1 A. I don't know the -- what more you're looking
2 for and it concerns me that I may be missing something
3 in the question.

4 Q. Let me try it again.

5 Yes or no, did you rely on someone else's
6 explanation of what the claims of the '608 and '302
7 patent meant in reaching your opinion that they are
8 invalid?

9 MR. LEE: Objection, asked and answered.

10 THE WITNESS: And I have said that I did
11 depend on counsel to interpret the meaning of this
12 patent as a legal document and specifically of the
13 claims.

14 And that that was necessary for me to be able
15 to construct my statement.

16 BY MR. FRANZINI:

17 Q. So you relied on counsel's interpretation of
18 the meaning of the claims of the '608 and '302 patent?

19 A. No, I wouldn't say interpretation, but the --
20 it -- I guess that's the distinction I'm trying to
21 make, I formed my own opinion about -- about the
22 relevance of my patent to those claims, but in
23 understanding the nature of the claims, I needed to
24 understand what the nature was of the claims before I
25 could determine whether -- and the extent to which my

1 patent application was relevant to those claims.

2 Q. So you formed your own opinions about whether
3 your patent application rendered the claims of the
4 '608 and '302 patent invalid, but in doing so, you
5 needed to understand what the claims of the '608 and
6 '302 patent meant?

7 A. That's -- yes.

8 Q. And for that part, for understanding what the
9 claims of the '302 patent and '608 patent meant, you
10 relied on counsel's explanation?

11 MR. LEE: Objection, form.

12 THE WITNESS: Yes.

13 BY MR. FRANZINI:

14 Q. Was that explanation provided to you in
15 writing?

16 A. That feels like privileged information.

17 Q. Yes or no, was it provided to you in writing?

18 A. It was in -- I mean it feels like privileged
19 information, but in a meeting.

20 Q. Were any written materials provided to you
21 during that meeting?

22 A. None other than the patents themselves.

23 Q. What were you told about the meaning of the
24 claims in that meeting?

25 A. I was --

1 MR. LEE: I caution the witness not to reveal
2 any privileged information.

3 THE WITNESS: I'm not sure how the
4 instruction from counsel affects whether I and how I
5 answer the question.

6 BY MR. FRANZINI:

7 Q. Well, I would like to know what information
8 was provided to you that you relied upon regarding the
9 meaning of the claims of the '608 and '302 patent.

10 MR. LEE: Again, I caution the witness not to
11 reveal any privileged information.

12 THE WITNESS: So I think that the nature of
13 that communication is covered under privileged
14 information.

15 MR. FRANZINI: Pan, are you instructing him
16 not to answer regarding the information that was
17 provided to him about the meaning of the claims of the
18 '608 and '302 patent that he relied upon in forming
19 his opinions regarding the validity of the patents?

20 MR. LEE: I am -- to the extent there are
21 facts or assumptions that he relied on, he can, you
22 know, answer, but otherwise, you know, communications
23 with counsel are privileged.

24 MR. FRANZINI: How about an explanation what
25 the claims meant?

1 MR. LEE: Only to the extent they're -- if he
2 was provided with facts or assumptions that he relied
3 on.

4 BY MR. FRANZINI:

5 Q. What information was provided to you during
6 your meeting with counsel regarding the meaning of the
7 claims that you relied upon in terms of what the
8 claims meant?

9 MR. LEE: Again, caution the witness not to
10 reveal any privileged information.

11 THE WITNESS: I think that everything that
12 occurred in that meeting, my assumption is that
13 everything that occurred in that meeting is considered
14 privileged information.

15 BY MR. FRANZINI:

16 Q. Typically you relied on the explanation --
17 withdrawn.

18 Do you expect to provide testimony about not
19 just expert opinions but also factual information
20 regarding the -- your patent application and the --
21 withdrawn.

22 Can we call your embodiment the VideoForum
23 system or what's a good word for it?

24 A. Knowledge Assembly system.

25 Q. Withdrawn.

1 Do you expect to provide factual testimony
2 regarding your patent application and regarding the
3 operation of the Knowledge Assembly system at trial?

4 A. Yes, if asked.

5 Q. Now, your -- withdrawn.

6 You mentioned the embodiment of your patent
7 application that you had created by October 5, 1998 in
8 your report.

9 Was that also called a VideoForum system?

10 A. Also called, yes.

11 Q. Now, some of the documents that were provided
12 in this case talk about the KnowledgeCapture and
13 KnowledgeServer.

14 What is KnowledgeCapture?

15 A. We had different names for different elements
16 of that system.

17 That was the name at the time of the
18 interface with the knowledge provider for creating the
19 video clip and potentially multiple video clips and
20 selecting one and uploading it.

21 Q. So that was the client side software?

22 A. Correct.

23 Q. Was it an application program that was
24 installed on the knowledge provider's computer?

25 A. It was.

1 Ultimately, it was. The initial version of
2 the system and, in fact, the system as described in
3 the patent did not incorporate that software.

4 Q. Move to strike as nonresponsive.

5 What is the KnowledgeServer?

6 A. I think that that's the name -- yes, the name
7 of the -- that we came up with for the server side
8 software for managing the Knowledge Assembly process.

9 Q. You also mentioned two patent applications in
10 your report, one of them is a provisional application
11 and the other one is a PCT application?

12 A. Right.

13 Q. Did those applications ever result in issued
14 patents?

15 A. No.

16 Q. Were those applications both abandoned?

17 A. Yes. I'm not sure the legal definition of
18 abandon, but I did not pursue them.

19 MR. LEE: Simon, it's been about an hour.

20 MR. FRANZINI: Yes, let me finish this one
21 thing and we can take a break.

22 Have this marked as Exhibit 3, please.

23 (Whereupon, Exhibit 3, document entitled
24 "Extract from the register of European patents," was
25 marked for identification.)

1 BY MR. FRANZINI:

2 Q. Showing you a document that we marked as
3 Exhibit 3, it's an extract from the register of
4 European patents, it describes your patent
5 application, if you take a look at applicants, it has
6 Michael Keehan.

7 A. Right.

8 Q. If you look at examination procedure at the
9 bottom it says "the application is deemed to be
10 withdrawn, reason, filing fee/search fee not paid in
11 time."

12 A. Right.

13 Q. Does that refresh your recollection about
14 what happened to your patent application?

15 A. I'm not sure the mechanism for abandoning a
16 patent, but I simply told my patent attorney that I
17 was not interested in pursuing it any further.

18 And I think he let it run out, which is what
19 I think you've shown evidence of here.

20 Q. It didn't issue into an actual patent, right?

21 A. That's correct.

22 MR. FRANZINI: Let's take a break.

23 THE VIDEOGRAPHER: Time is 10:05 and we're
24 off the record.

25 (Recess taken.)

1 THE VIDEOGRAPHER: The time is 10:16 and we
2 are on the record.

3 BY MR. FRANZINI:

4 Q. Please turn to paragraph 37 of your report.

5 Paragraph 37 of your expert report, you say
6 "it is my opinion that Knowledge Assembly, led by me
7 supervising George Reinhart, conceived of and actually
8 practiced the subject matter described in our patent
9 application by no later than October 5, 1998."

10 Then, you have a colon and you list certain
11 claims of the '608 patent and specifically you say "a
12 method as described in claim 1 of the '608 patent,
13 except insofar as our identification tags for the
14 streaming videos did not use a video frame image from
15 the streaming video file."

16 Do you see that?

17 A. Correct.

18 Q. Now, I want to make sure I understand what
19 your opinion is.

20 Is it your opinion that your patent
21 application discloses each of the limitations of claim
22 1 of the '608 patent with that exception or is it your
23 opinion that the Knowledge Assembly system that you
24 developed by October 5th of 1998 actually practiced
25 each limitation?

1 A. I'm not aware of those being different.

2 I think that we had the -- that the system
3 represented what was in the patent at the time that we
4 put in the application.

5 Q. So it's your sworn testimony that the
6 Knowledge Assembly system as it existed -- withdrawn.

7 It's your sworn testimony that the Knowledge
8 Assembly system as it existed on October 5th of 1998
9 actually practiced each limitation of the '608 patent
10 except that you used -- you didn't use video frame
11 images from the video streaming file?

12 MR. LEE: Objection, form.

13 THE WITNESS: I'm not sure exactly what we
14 had up and running on that date, but I believe so. I
15 can't -- I can't swear to the fact that the system was
16 fulfilling all of that, but I believe it was.

17 BY MR. FRANZINI:

18 Q. So you're not sure whether the system that
19 you developed as of October 5, 1998 practiced each
20 limitation of the '608 patent, right?

21 MR. LEE: Objection, form, mischaracterizes
22 testimony.

23 THE WITNESS: Would you repeat the question?

24 BY MR. FRANZINI:

25 Q. Sure. You're not certain that the Knowledge

1 Assembly system as it existed on October 5th of 1998
2 actually practiced each limitation of the '608 patent
3 except insofar as the identification tags for the
4 streaming video did not use a video frame image?

5 MR. LEE: Same objection.

6 THE WITNESS: I believe it did.

7 BY MR. FRANZINI:

8 Q. Sir, you just testified you weren't sure,
9 right?

10 A. Well, I hadn't thought about it, but I
11 feel -- let me see some background.

12 The patent application came well after we
13 developed the system because George was -- George
14 Reinhart was involved in the creation of the system
15 and also in the description in the patent application.

16 And I did not want to take him off of
17 developing code in order to develop the patent. That
18 was my priority.

19 So I believe that, now that I think about it,
20 it's clear to me that the system came first and we
21 were describing what we had actually up and running,
22 that as I mentioned before didn't include what we
23 called the Knowledge Producer software running on the
24 client system.

25 Q. I want to make sure I understand what your

1 testimony is.

2 Sir, is it your sworn testimony that the
3 Knowledge Assembly system as of October 5th, 1998,
4 actually practiced a method as described in claim 1 of
5 the '608 patent except insofar as the identification
6 tags did not use a video frame image?

7 MR. LEE: Objection, form, asked and
8 answered.

9 THE WITNESS: I believe that that's the case.

10 I think that we had it up and running and we
11 were proud of having it in operation when we actually
12 went for the patent.

13 BY MR. FRANZINI:

14 Q. So there's two slightly different things and
15 I want to make sure I'm understanding which one you're
16 saying.

17 My question isn't did you have an embodiment
18 of the Knowledge Assembly system running as of October
19 5th, 1998.

20 A. Absolutely.

21 Q. My question is: Did you have an embodiment
22 of the Knowledge Assembly system that was running as
23 of October 5th of 1998 that actually practiced each
24 limitation of claim 1 of the '608 patent except that
25 the identification tags did not use a video frame

1 image?

2 MR. LEE: Objection, form, asked and
3 answered.

4 THE WITNESS: I believe that we did.

5 BY MR. FRANZINI:

6 Q. You keep saying you believe.

7 Why are you using that word?

8 A. Because there's some uncertainty in my mind
9 what would be -- the more I think about it, the more I
10 feel certain that we had that -- such embodiment at
11 that time because it -- the kinds of claims, the kinds
12 of processes that we're talking about here are
13 absolutely fundamental to having any version of our
14 software running.

15 So what's in claim 1, except for the
16 thumbnail, is everything in that claim is essential
17 for running the Participation Systems -- I mean the
18 Knowledge Assembly system and that being the case, I
19 know we had the system up.

20 So in the process of our discussion, it's
21 clear to me that we did have it up and the uncertainty
22 I felt about it was -- is diminished.

23 Q. You're confident enough that the Knowledge
24 Assembly system practiced each limitation of the '608
25 patent except for thumbnails that you're willing to

1 attest under oath that the Knowledge Assembly system
2 practiced each limitation of the '608 patent except
3 for the thumbnails?

4 A. Yes.

5 Q. And that's based on your understanding that
6 each limitation is necessary for running the Knowledge
7 Assembly system?

8 MR. LEE: Objection, form, mischaracterizes
9 testimony.

10 THE WITNESS: I want to review the patent --
11 the claims in the --

12 BY MR. FRANZINI:

13 Q. What document are you looking at right now?

14 A. I'm --

15 Q. Withdrawn.

16 A. I'm interested in looking at '302.

17 Q. What binder do you have there?

18 A. I have a binder -- the '302 patent -- that
19 includes the patents and the other documents that I
20 think have been provided to you, it includes my patent
21 application, both of your patents and some of the
22 things that were found in -- in my files.

23 Q. Okay.

24 MR. FRANZINI: Let's go ahead and mark that
25 as Exhibit 4.

1 (Whereupon, Exhibit 4, bound documents, was
2 marked for identification.)

3 BY MR. FRANZINI:

4 Q. You're looking at the '302 patent, but my
5 question was about the '608 patent.

6 A. Okay.

7 Yes, I think that the Knowledge Assembly
8 system would embody those functions and since it
9 existed when I put the patent in place, I think that
10 we had an embodiment in the patent application and in
11 the system itself at that time.

12 Q. Okay. So my question is a little bit more
13 specific than that.

14 So -- withdrawn.

15 Is it your sworn testimony that as of October
16 5th of 1998 the actual embodiment of the Knowledge
17 Assembly system that was in operation actually
18 practiced each claim -- each element of claim 1 of the
19 '608 patent except thumbnails?

20 MR. LEE: Objection, form, asked and
21 answered.

22 THE WITNESS: Yes.

23 BY MR. FRANZINI:

24 Q. And that testimony is based on your
25 understanding that everything in the '608 patent is

1 necessary to practice the Knowledge Assembly system?

2 MR. LEE: Objection, form, mischaracterizes
3 testimony.

4 THE WITNESS: Yes. I don't think you could
5 have a running Knowledge Assembly system without
6 performing those functions.

7 BY MR. FRANZINI:

8 Q. It's your testimony it is impossible to have
9 a running Knowledge Assembly system without practicing
10 each of the limitations of claim 1 of the '608 patent
11 except for thumbnails?

12 MR. LEE: Objection, form, mischaracterizes
13 testimony.

14 THE WITNESS: Right.

15 MR. FRANZINI: Have this marked as Exhibit 5,
16 please.

17 (Whereupon, Exhibit 5, document entitled
18 "Exhibit A" containing U.S. patent 8,438,608, was
19 marked for identification.)

20 BY MR. FRANZINI:

21 Q. I'm showing you a document that is marked as
22 Exhibit A, but is a copy of the '608 patent, I think
23 it's the same as the one you have in your binder, but
24 I don't have a copy of your binder so I'm giving you a
25 new one.

1 Please turn to the second to last page.

2 A. Okay.

3 Page 38, correct?

4 Q. Yes. At the bottom of the page is claim 1?

5 A. Yes.

6 Q. See where it says "a method of streaming a
7 video to users over a network, the method comprising
8 the steps of"?

9 A. Yes.

10 Q. Now, the first step says "receiving, by a
11 receiving computer via a web page, a video file sent
12 by a user on a second computer on a network"?

13 A. Right.

14 Q. Is it your sworn testimony that as of October
15 5th of 1998 the Knowledge Assembly system actually
16 practiced that limitation of the '608 patent?

17 A. Yes.

18 Q. Now, please take a look at element (b1).

19 It says "converting the video file into a
20 streaming video file comprising a streaming video
21 format"?

22 A. Yes.

23 Q. And do you see above that it says "executing
24 by the receiving computer"?

25 A. Yes.

1 Q. So the receiving computer has to perform
2 element (b1)?

3 A. That's correct.

4 Q. And the receiving computer is a server side
5 computer?

6 A. Correct.

7 Q. So it's your sworn testimony that as of
8 October 5th of 1998 the server side component of the
9 Knowledge Assembly system performed converting the
10 video file into a streaming video file comprising a
11 streaming video format?

12 A. That's correct.

13 Q. Please turn back to your report. Sorry, one
14 more question -- withdrawn.

15 That testimony you just gave, that's based on
16 your understanding that practicing those elements is a
17 necessary component of practicing the Knowledge
18 Assembly system?

19 MR. LEE: Objection, form.

20 THE WITNESS: Correct.

21 BY MR. FRANZINI:

22 Q. Please turn back to paragraph 37 of your
23 report.

24 A. Okay.

25 Q. After subparagraph A there's subparagraphs B,

1 C, D, so forth through subparagraph J, talk about
2 other claims?

3 A. Yes.

4 Q. So in this portion of your report, you were
5 saying that the Knowledge Assembly embodiment, not the
6 Knowledge Assembly patent application, but the
7 Knowledge Assembly embodiment practiced each one of
8 these limitations?

9 A. Let me see. I hadn't made the distinction
10 between the two before so I need to go through these
11 to see if that's -- if I believe that's the case.

12 Q. You say before, you meant before today,
13 right?

14 A. Yes.

15 Q. So your testimony about claim 1, that was
16 about the Knowledge Assembly system, not the patent,
17 right?

18 A. I'm sorry, my --

19 Q. You just gave testimony about claim 1 of the
20 '608 patent. We were talking about the Knowledge
21 Assembly system, not the patent application, right?

22 A. Right, that's what we're focusing on.

23 And the patent application -- I'm trying to
24 get a sense of where you're going with this.

25 The patent application is -- I'm trying to

1 determine why you're making the distinction. I guess
2 that's for you to know.

3 Q. I'm going to ask you about the patent
4 application, too, but right now I'm going to focus on
5 the embodiment that was in existence as of October 5th
6 of 1998.

7 A. Right.

8 MR. LEE: Is there a question pending?

9 THE WITNESS: I think so, but --

10 BY MR. FRANZINI:

11 Q. Sir, is it your testimony the Knowledge
12 Assembly system as it existed on October 5th of 1998
13 practiced each of the limitations of claims 3, 4, 8,
14 9, 12, 13, 14, 16, 22 of the '608 patent?

15 A. And as I said, I need to look at this to see
16 whether, in fact, it actually was a working embodiment
17 that responded to all of these claims.

18 Q. Take your time.

19 A. So let me see. I'm looking at claim 9 and I
20 have a question about it, the interpretation of it.

21 So it's saying in claim 9 that a method as in
22 claim 1 wherein step (b1) further comprises converting
23 video file into a second video format and I'm
24 realizing that I'm not clear because in (b1), you're
25 converting a video file into a streaming video file

1 and a streaming video format and does 7 say that in
2 addition, it converts it into a second video format,
3 that is you would have three versions of the video
4 file at this point?

5 Q. Well, sir, are you qualified to interpret the
6 claims of the '608 patent?

7 A. The meaning of it is not clear to me.

8 Q. So you're not qualified to interpret the
9 meaning of the claims of the '608 patent?

10 MR. LEE: Objection, form.

11 THE WITNESS: Well, I feel a need to work it
12 out.

13 So as in claim 1 means the conversion into
14 one video format and 9 says that beyond (b1), further
15 comprises converting a video file into a second video
16 format.

17 So beyond the converting into a one streaming
18 video file -- I mean streaming video format, I'm now
19 interpreting 9 as further converting it into a second
20 video format.

21 So I'm -- I'm questioning whether E was
22 embodied in the Knowledge Assembly software at that
23 time. We certainly had talked about this and it was
24 in the patent application as a possible and desirable
25 function, but it was -- that function was not

1 embodied.

2 BY MR. FRANZINI:

3 Q. Okay.

4 A. Number 1, claim 1 was embodied, but as I'm
5 finding, claim 9 appears not to have been.

6 Q. Now, let's talk about claim 9 for a second.

7 You just did an analysis of what claim 9
8 meant?

9 A. Right.

10 Q. Is that the first time you've done that
11 analysis?

12 A. No, I have thought about this before, but I
13 hadn't thought about it in connection with your
14 question about whether it was embodied in the PSI
15 system.

16 Q. Okay. So let's go through these one at a
17 time.

18 Is it your testimony that the additional
19 limitations of claim 3 of the '608 patent --
20 withdrawn.

21 Is it your testimony that the Knowledge
22 Assembly system as of October 5th of 1998 actually
23 practiced the additional features of claim 3 of the
24 '608 patent?

25 A. Yes.

1 Q. Is it your testimony that the additional
2 features of claim 4 -- withdrawn.

3 Is it your testimony that the Knowledge
4 Assembly system as it existed on October 5th of 1998
5 actually practiced the additional features of claim 4
6 of the '608 patent?

7 A. Not 3, but 4 you're saying?

8 Q. Now, I'm asking about 4.

9 A. Right.

10 Yes.

11 Q. Is it your testimony that the Knowledge
12 Assembly system as of October 5th of 1998 actually
13 practiced the additional features of claim 8 of the
14 '608 patent?

15 A. Yes.

16 Q. Did the Knowledge Assembly system as of
17 October 5th of 1998 practice the additional
18 limitations of claim 9 of the '608 patent?

19 A. The -- I guess I have to say to the extent
20 that the meaning of claim 9 is that it would convert
21 into two different video streaming formats, we were
22 not doing that in the embodiment at that time.

23 Q. Okay. So applying your understanding of
24 claim 9, as Google's technical expert regarding the
25 '608 patent, did the Knowledge Assembly system as of

1 October 5th of 1998 practice claim 9?

2 A. No.

3 Q. Did the Knowledge Assembly system as of
4 October 5th of 1998 practice the additional
5 limitations of claim 12 of the '608 patent?

6 A. My interpretation is that the meaning of 12
7 is that the video file that's submitted to the system
8 and subsequently streamed or converted into a
9 streaming format, that that is a streaming video file
10 on uploading to the system.

11 And that is not a function that we were --
12 that we were supporting at that time. There weren't
13 many streaming -- streaming video files at that time
14 and we were not anticipating receiving any streaming
15 video files, although I think in the -- our patent
16 application, we did suggest that this function could
17 be part of an ideal application -- implementation.

18 Q. To be clear, the Knowledge Assembly system as
19 of October 5th of 1998 did not practice the additional
20 limitations of claim 12 of the '608 patent?

21 A. That's correct, but I believe that they were
22 part of the patent application.

23 Q. Did the Knowledge Assembly system as of
24 October 5th of 1998 actually practice the additional
25 limitations of claim 13 of the '608 patent?

1 A. Yes.

2 Q. Now, you don't have any opinions in here
3 about the '302 patent.

4 Is it your testimony that the Knowledge
5 Assembly system actually practiced the claims of the
6 '302 patent?

7 A. Yes, but not all of them, certainly not the
8 claims relating to the advertising, managing of
9 advertising.

10 Q. Knowledge Assembly system as of October 5th
11 of 1998 did not practice any claim of the '302 patent
12 that involves advertisements?

13 A. That's correct.

14 Q. And your patent application doesn't make any
15 mention of advertisements either, right?

16 A. That's correct.

17 Q. Please turn back to page 18, paragraph 37.

18 A. Right.

19 Q. Here it says "it is my opinion that Knowledge
20 Assembly, conceived of and actually practiced the
21 subject matter described in our patent applications by
22 no later than October 5th of 1998" and it lists a
23 number of claims including claims 9 and 12, right?

24 A. Correct.

25 Q. Now, that statement in this report is false,

1 right?

2 MR. LEE: Objection, form.

3 THE WITNESS: Given my interpretation of
4 this -- at this sitting, I feel that these -- if my
5 interpretation is correct about the meaning of these
6 claims now, then I would have to say that, as
7 reflected in the embodiment as opposed to the
8 application, I'm sorry, the -- our application, the
9 embodiment did not include these.

10 BY MR. FRANZINI:

11 Q. Go ahead.

12 A. But they are part, as I say, of the
13 application.

14 Q. Here you say Knowledge Assembly conceived of
15 and actually practiced the subject matter of certain
16 claims including claims 9 and 12, right?

17 A. Correct.

18 Q. That's what your report says?

19 A. That's correct.

20 Q. And that statement is false?

21 A. It's -- it's false in terms of the embodiment
22 at that time.

23 Q. Well, but your report said the embodiment did
24 it and that's not true, right?

25 A. I -- yes, I think that's true.

1 Q. Your report contains false statements at
2 least in that regard, right?

3 A. In that regard, yes.

4 Q. Okay. So when you signed this report on
5 April 8, 2016, you signed a report with false
6 statements, right?

7 MR. LEE: Objection, form, argumentative.

8 BY MR. FRANZINI:

9 Q. Sir, when you signed this report on April 8,
10 you signed a report that contained false statements,
11 right?

12 MR. LEE: Objection, argumentative.

13 THE WITNESS: Given my understanding of
14 the -- I think that I made a mistake in including the
15 implementation, the embodiment including all of the
16 claims.

17 BY MR. FRANZINI:

18 Q. So when you wrote that Knowledge Assembly
19 conceived of and actually practiced the subject matter
20 of claims 9 and 12, that was a false statement, right?

21 MR. LEE: Objection, form, argumentative.

22 THE WITNESS: It feels strongly worded as
23 though it were intentional, but I would make a
24 distinction if I were to write this again between the
25 embodiment and the application.

1 BY MR. FRANZINI:

2 Q. My question is a little bit different.

3 My question is: When you wrote Knowledge
4 Assembly conceived of and actually practiced the
5 subject matter described in claims 9 and 12, you wrote
6 a false statement, right?

7 MR. LEE: Objection, form, argumentative.

8 THE WITNESS: I did not intend to write a
9 false statement.

10 I think that when you say it's a false
11 statement -- that I wrote a false statement, it sounds
12 intentional, as though I intended to mislead anyone,
13 but as you pointed out, there's an implication that I
14 had missed.

15 BY MR. FRANZINI:

16 Q. Well, sir, you understand that it benefits
17 Google who hired you if Knowledge Assembly actually
18 practiced claims 9 and 12 of the '608 patent by
19 October 5th of 1998, right?

20 MR. LEE: Objection, form.

21 THE WITNESS: It was included in the patent
22 application and so I think that that still carries
23 some weight.

24 I don't think that -- I wish I had made the
25 distinction, but I don't think the fact that the

1 embodiment did not include a couple of those subclaims
2 should invalidate the argument because it's clearly
3 stated in the -- in the patent application.

4 BY MR. FRANZINI:

5 Q. I'm asking you about whether or not it
6 benefitted Google and you're providing me some
7 different information.

8 I'm going to ask my question again --
9 withdrawn.

10 You understand it benefits Google for you to
11 provide the opinion that Knowledge Assembly conceived
12 of and actually practiced claims 9 and 12 of the '608
13 patent, right?

14 MR. LEE: Objection, form, calls for
15 speculation.

16 THE WITNESS: So yes, I understand that it
17 would strengthen a case.

18 Again, I -- well, the -- I think that the
19 patent application should carry the day, but it's true
20 that the embodiment did not include those features and
21 I missed that in crafting the report.

22 BY MR. FRANZINI:

23 Q. Again, you're providing me some information
24 about the patent application.

25 My question is about something else.

1 I'm going to ask it again -- withdrawn.

2 Sir, you understand that -- withdrawn.

3 You understand that it benefits Google for
4 you to provide the opinion that Knowledge Assembly,
5 the embodiment, actually practiced claims 9 and 12,
6 right?

7 MR. LEE: Objection, form, calls for
8 speculation.

9 THE WITNESS: But I still need to answer the
10 question; is that correct?

11 MR. LEE: You can answer the question.

12 THE WITNESS: So I do understand that this
13 would benefit Google in this contest.

14 BY MR. FRANZINI:

15 Q. I'm going to ask it again because there was
16 an exchange on the record -- withdrawn.

17 Sir, you understand that it benefits Google
18 for you to provide the opinion that the Knowledge
19 Assembly system actually practiced claims 9 and 12 of
20 the '608 patent, right?

21 MR. LEE: Objection, form, calls for
22 speculation.

23 THE WITNESS: Yes.

24 BY MR. FRANZINI:

25 Q. And you provided that opinion in the report

1 that you signed on April 8th of this year, right?

2 A. Yes.

3 Q. But that statement is false, right?

4 A. Unintentionally, I signed a statement that
5 turned out to that extent to have a false statement in
6 it.

7 Q. Sir, you mentioned there were some things
8 that were described in your patent application that
9 were not actually reduced to practice in the Knowledge
10 Assembly system, right?

11 A. Correct.

12 Q. So the Knowledge Assembly system is only
13 one -- withdrawn.

14 The Knowledge Assembly system as it existed
15 on October 5th of 1998 is just one embodiment of the
16 ideas in your patent application?

17 A. Correct.

18 Q. Just because something is in your patent
19 application doesn't mean that the actual system
20 practiced it, right?

21 A. That's correct.

22 Q. The patent application describes a number of
23 different embodiments?

24 A. Right.

25 Q. And as of October 5th of 1998, the Knowledge

1 Assembly system was just one of those embodiments,
2 right?

3 A. Uh-huh.

4 Q. Yes?

5 A. Yes.

6 Q. I need an oral answer for the record.

7 A. I understand.

8 Q. Withdrawn.

9 Please turn to paragraph 19 of your report,
10 on page 7.

11 So paragraphs 19 through 21 contained a
12 statement of your opinions that Knowledge Assembly
13 practiced a method of streaming a video over a
14 network?

15 A. Yes.

16 Q. Now, in this section -- withdrawn.

17 Is this section of your report talking about
18 the patent application or is it talking about the
19 embodiment that existed on October 5th of 1998?

20 A. It's not limited to the current embodiment.

21 Q. It's talking about the patent application?

22 A. It's talking about the patent application
23 primarily, but -- yes.

24 Q. Withdrawn, I didn't mean to interrupt.

25 A. Most of the basic functions herein described

1 were in the embodiment at that time, but as we pointed
2 out -- as you pointed out, there was a difference
3 between the embodiment and the description in the
4 patent application.

5 Q. So in paragraphs 19 through 21, which discuss
6 the fact that Knowledge Assembly practiced a method of
7 streaming a video over a network, all the evidence you
8 cite is all from the patent application, right?

9 A. I believe that's the case.

10 I don't -- through -- yeah, through 21,
11 right?

12 Yes, this is not referring to the embodiment.

13 Q. There's nothing in paragraphs 19 through 21
14 that would corroborate the idea that the Knowledge
15 Assembly system actually practiced a method of
16 streaming a video over a network, right?

17 MR. LEE: Objection, form.

18 THE WITNESS: It's -- that is not stated in
19 here, that is that the embodiment is -- that I recall.

20 BY MR. FRANZINI:

21 Q. Let's break that down.

22 In paragraphs 19 through 21 you don't state
23 that the Knowledge Assembly embodiment as of October
24 5th of 1998 actually practiced a method of streaming a
25 video over a network, right?

1 A. In these paragraphs, to my recollection, that
2 is not stated.

3 Q. And these paragraphs don't provide any
4 evidence to corroborate that the actual system, the
5 Knowledge Assembly system practiced a method of
6 streaming a video over a network, right?

7 A. That it was actually in practice, I don't
8 believe that this says that it was in practice at that
9 time.

10 Q. All it's doing is describing your patent
11 application, right?

12 A. Correct.

13 Q. And that patent application was abandoned?

14 A. Eventually, correct.

15 Q. In fact, you don't cite any evidence in your
16 report that would corroborate the fact that the
17 Knowledge Assembly system itself as of October 5th of
18 1998 practiced a method of streaming a video over a
19 network, right?

20 A. So no, I don't believe there's any evidence
21 here that it was operating as of that date.

22 Q. It's just your testimony, right?

23 A. I believe so, yes. I don't think that the --
24 that the e-mails, the documents from people who were
25 trying out the system date back to '98.

1 Q. The only thing that suggests that the
2 Knowledge Assembly system actually practiced a method
3 of streaming a video file over a network as of October
4 5th of 1998 is your own uncorroborated testimony?

5 A. I think that's true, yes.

6 There's evidence of it shortly thereafter.

7 Q. Are you talking about the Internet archive
8 materials cited in paragraph 30?

9 A. Yes, but also the e-mails that were submitted
10 into evidence.

11 Q. Now, the only information -- withdrawn.

12 The only information that's cited in your
13 report that would corroborate any of the things you
14 say are the three e-mails that are cited, the Internet
15 archive snapshots and the patent application, right?

16 A. That's correct.

17 Q. And sir, you mentioned that there's --
18 withdrawn.

19 In paragraphs 19 through 21, you don't
20 provide any evidence that the Knowledge Assembly
21 system itself ever practiced a method of streaming a
22 video over a network, right?

23 A. In those paragraphs, I don't believe there's
24 any evidence it was actually reduced to practice.

25 Q. And you understand that whether or not

1 Knowledge Assembly practiced a method of streaming a
2 video over a network is an important issue in this
3 case?

4 MR. LEE: Objection, form.

5 THE WITNESS: Yes.

6 BY MR. FRANZINI:

7 Q. To show validity, Google has to show that
8 Knowledge Assembly practiced a method of streaming a
9 video over a network?

10 MR. LEE: Objection, form, calls for a legal
11 conclusion.

12 THE WITNESS: I don't think that Google has
13 to prove it was actually reduced to practice, that our
14 system was in operation.

15 BY MR. FRANZINI:

16 Q. Sir, you mentioned that you understood that
17 whether or not Knowledge Assembly practiced a method
18 of streaming a video over a network is an important
19 issue in this case?

20 A. Yes.

21 Q. The paragraphs in your report that discuss
22 that issue are paragraphs 21 -- excuse me --
23 withdrawn.

24 The paragraphs in your report that discuss
25 that issue are paragraphs 19 through 21?

1 A. Correct.

2 Q. And in those paragraphs, you only cite your
3 patent application, right?

4 A. Correct.

5 Q. You don't cite any evidence that would
6 corroborate the idea that the Knowledge Assembly
7 system itself ever practiced a method of streaming a
8 video over a network, right?

9 A. Other than my testimony.

10 Q. The only thing that would support the idea
11 that the Knowledge Assembly system ever practiced a
12 method of streaming a video file over a network is
13 your own testimony, right?

14 A. I don't think that's true.

15 I think that the -- that the screen shots and
16 those -- those e-mails and clearly there are other
17 people who were aware of the system at the time.

18 So it was clearly in operation.

19 Q. Sir, you mentioned there's other people who
20 were aware of the system at the time?

21 A. Yes.

22 Q. Who are those people?

23 A. Well, at least the people who wrote those
24 e-mails.

25 Q. You're -- withdrawn.

1 Mr. Reinhart would also be knowledgeable
2 about the system at that time?

3 A. Correct.

4 Q. You also -- withdrawn.

5 You state in your report you demonstrated the
6 system at a forum?

7 A. Yes, I demonstrated it many times.

8 Q. There were people present at that forum who
9 saw how it worked?

10 A. Yes. I demonstrated it on a number of
11 occasions.

12 Q. Please turn to paragraph 22.

13 Paragraphs 22, 23 discuss receiving a video
14 file via a web page.

15 Do you see that?

16 A. Yes.

17 Q. So the portion of your report that discusses
18 how the Knowledge Assembly system received a video
19 file via a web page is paragraphs 22 and 23?

20 A. Uh-huh.

21 Q. Can I get a verbal yes?

22 A. Yes.

23 Q. In paragraphs 22 and 23, you only cite the
24 patent application, right?

25 A. No, there's actually the script.

1 As you recall, the scripts are written in the
2 patent application.

3 So you're saying that we -- that -- yeah, so
4 that would be accurate, that since the scripts are
5 part of the patent application, that's what's
6 indicated in here that relates to the operating
7 system, the system that was in operation at that time.

8 Q. Well, sir, paragraphs 22 and 23 only cite
9 materials from your patent application, right?

10 A. I believe that's true, yes.

11 Q. The only evidence in paragraphs 22 and 23
12 that would corroborate your testimony that the
13 Knowledge Assembly system performed receiving a video
14 file via a web page is the patent application itself,
15 right?

16 A. Yes, but I need to point out that the scripts
17 which actually represented the system are a part of
18 the patent application and those scripts were simply
19 taken off of an operating system, that is a system
20 that was in operation at that time.

21 Q. You just stated the scripts in the patent
22 application were taken off an operative system.

23 Do you have any evidence of that?

24 A. I do not.

25 Q. Just your testimony?

1 A. That's correct, yeah.

2 Q. There's no corroboration in your report or
3 anywhere else you're aware of for your testimony that
4 the scripts that were attached to your report are
5 actually in operation, right?

6 A. There's no evidence of them actually being
7 used other -- well, it's -- my view is that you
8 wouldn't have the scripts without using them, but they
9 were in operation at the time, indeed, long before the
10 patent application.

11 Q. Sir, there's no evidence that the scripts
12 were in operation before the patent application --
13 withdrawn.

14 There's no evidence that the scripts were
15 ever in operation except for your own uncorroborated
16 testimony, right?

17 A. That's correct. Corroboration is it seems
18 possible. I haven't addressed that.

19 Q. You don't have anything that could
20 corroborate that statement that the scripts in your
21 patent application were actually ever used, right?

22 A. Other than screen shots.

23 Q. Well, the screen shots don't demonstrate that
24 the scripts in your patent application were actually
25 used, right?

1 A. I think that the creation of the scripts was
2 a function that was -- creation of the screen shots
3 were -- represent a function that was in the scripts.

4 Q. Well, sir, but the screen shots themselves
5 don't demonstrate that the scripts in your patent
6 application were actually used in the system, right?

7 A. Oh, I see what you mean. It takes the
8 scripts to create the web pages, but you're saying the
9 actual scripts in the patent application, you're
10 questioning whether those were the ones that were used
11 to generate the screen shots?

12 Q. Well, the screen shots themselves don't tell
13 us anything about how those -- how the -- withdrawn.

14 The screen shots themselves don't tell us
15 anything about how the web site was generated, right?

16 A. That's correct.

17 Q. And they certainly don't tell us that it was
18 generated using the scripts that were attached to your
19 patent application, right?

20 A. That's correct.

21 MR. FRANZINI: I think we're out of tape so
22 let's take a break.

23 THE VIDEOGRAPHER: This marks the end of
24 volume 1, disk 1 in the deposition of Michael Keehan.

25 The time is 11:11 and we are off the record.

1 (Recess taken.)

2 THE VIDEOGRAPHER: This marks the beginning
3 of volume 1, disk 2 in the deposition of Michael
4 Keehan.

5 The time is 11:22 and we are on the record.

6 BY MR. FRANZINI:

7 Q. Please turn to paragraph 24 of your report.

8 Paragraph 24 discusses automatically
9 converting a received video file in a streaming format
10 without a command from the user to do the conversion?

11 A. Uh-huh.

12 Q. Is your analysis -- withdrawn.

13 You understand that whether the Knowledge
14 Assembly system practiced automatically converting a
15 received video file in a streaming format without a
16 command from the user to do the conversion is an
17 important issue in this case?

18 MR. LEE: Objection, form, calls for a legal
19 conclusion.

20 THE WITNESS: Yes.

21 BY MR. FRANZINI:

22 Q. And your analysis of whether or not the
23 Knowledge Assembly system performs that limitation is
24 set forth in paragraph 24?

25 A. Correct.

1 Q. Now, in paragraph 24, you only cite the
2 disclosures of the patent application, right?

3 A. Correct.

4 Q. You don't cite to any evidence about the
5 operation of the Knowledge Assembly system itself?

6 A. Correct.

7 Q. Paragraph 24, you don't offer any evidence to
8 corroborate your statement that the Knowledge Assembly
9 system itself actually practiced automatically
10 converting a received video file in a streaming format
11 without a command from the user to do the conversion?

12 A. That's correct.

13 Q. Please turn to paragraph -- withdrawn.

14 Please turn to paragraph 25.

15 Paragraphs 25, 26, 27, 28 and 29 are the
16 section of your report that discusses generating an
17 identification tag representing the subject matter of
18 the streaming video and identifying the streaming
19 video and embedding the tag in a web page?

20 A. Right.

21 Q. You understand that whether or not the
22 Knowledge Assembly system performs generating an
23 identification tag representing the subject matter of
24 a streaming video is an important issue in this case?

25 MR. LEE: Objection, form, calls for

1 speculation, calls for a legal conclusion.

2 THE WITNESS: Yes.

3 BY MR. FRANZINI:

4 Q. You also understand that whether the
5 Knowledge Assembly system performs embedding the
6 identification tag in a web page is an important issue
7 in this case?

8 MR. LEE: Same objection.

9 THE WITNESS: Yes.

10 BY MR. FRANZINI:

11 Q. Now, in your analysis in paragraphs 25, 26,
12 27 and 28, you only cite the disclosures of your
13 patent application, right?

14 A. That's correct.

15 Q. None of the paragraphs 25 through 28 provide
16 any corroboration for your statement that the
17 Knowledge Assembly system performs either of these
18 limitations?

19 A. That's correct, but as we -- as I said
20 before, I feel -- these scripts were in operation and
21 I think the scripts reflect that function and
22 that's -- the scripts were in operation this very day.

23 Q. Again, there's no evidence of that, right?

24 A. I have not attempted to collect corroborating
25 evidence of that fact.

1 I'm not convinced that such evidence could
2 not be presented.

3 Q. Well, you're not aware of any evidence that
4 would corroborate the fact that the scripts in your
5 patent application were actually ever used, right?

6 A. I'm sorry, I do have --

7 Q. Give it to you again -- withdrawn.

8 You're not aware of any evidence that
9 corroborates your statement that those scripts were
10 actually used in the Knowledge Assembly system, right?

11 A. I can think of ways in which that could be
12 corroborated.

13 Q. You certainly don't include any of that
14 information in your report, right?

15 A. It's not in the report.

16 Q. You also haven't provided any corroborating
17 evidence to Google in this case?

18 A. Regarding the operating -- the system -- the
19 embodiment being in operation at that time, no, other
20 than what we've discussed before, the e-mails and the
21 screen shots.

22 Q. And the --

23 A. And I think I thought that would be
24 sufficient.

25 Q. But the e-mails and the screen shots, they

1 don't state or even suggest that the scripts
2 themselves in your patent application were used in the
3 Knowledge Assembly system, right?

4 MR. LEE: Objection, form.

5 THE WITNESS: As we've discussed, I can't
6 prove that those screen shots were made using the
7 scripts that are in the patent application.

8 BY MR. FRANZINI:

9 Q. Okay. So going back to my original question
10 in paragraphs 25, 26, 27 and 28, you don't provide any
11 evidence that corroborates your statement that the
12 Knowledge Assembly system performs generating an
13 identification tag or embedding the tag in a web page,
14 right?

15 A. That's correct.

16 Q. Now, in paragraph 29, you discuss an example
17 of how the identification tags were included in a web
18 site.

19 Do you see that?

20 A. Yes.

21 Q. That's GOOG-VS-PA 00016543?

22 A. Uh-huh.

23 MR. FRANZINI: Have this marked as Exhibit 6,
24 please.

25 (Whereupon, Exhibit 6, document entitled

1 "Knowledge Assembly, Inc. demonstration channel" Bates
2 stamps GOOG-VS-PA 00016543 to 544, was marked for
3 identification.)

4 BY MR. FRANZINI:

5 Q. I'm showing you a document that was marked as
6 Exhibit 6, has Bates number, which are the numbers in
7 the bottom right-hand corner of the document,
8 GOOG-VS-PA 16543.

9 That's the document you cite in paragraph 29
10 of your report?

11 A. Yes.

12 Q. Now, can we call it the Knowledge Assembly
13 demonstration channel document?

14 A. Uh-huh.

15 Q. The Knowledge Assembly demonstration channel
16 document that you cite in paragraph 29 of your report
17 doesn't provide any information about how the web site
18 snapshot that's shown in this document was created,
19 right?

20 MR. LEE: Objection, form.

21 THE WITNESS: That's correct.

22 BY MR. FRANZINI:

23 Q. Looking at this document, we have no idea
24 whether the process of creating this -- what's shown
25 in this document included automatically converting --

1 withdrawn.

2 Looking at just this document, we have no
3 idea whether the Knowledge Assembly system performed
4 generating an identification tag representing the
5 subject matter of the streaming video, right?

6 MR. LEE: Objection, form.

7 THE WITNESS: It does not prove it.

8 BY MR. FRANZINI:

9 Q. Looking at just this document, we have no
10 idea whether the Knowledge Assembly system performed
11 the step of automatically embedding an identification
12 tag into a web page, right?

13 MR. LEE: Objection, form.

14 THE WITNESS: Correct.

15 BY MR. FRANZINI:

16 Q. So in paragraphs 25 through 29 of your
17 report, which discuss generating an identification tag
18 representing the subject matter of the streaming video
19 and identifying the streaming video and embedding the
20 tag in a web page, you don't provide any evidence to
21 corroborate your statement that the Knowledge Assembly
22 system actually performed these steps, right?

23 A. The evidence here refers only to either the
24 application -- the patent application or to the screen
25 shots and if you're saying that the screen shots,

1 which is accurate, the screen shots could be faked,
2 then that's true, they're not -- they're not proof
3 that the Knowledge Assembly system was working at that
4 time.

5 Q. Now, the screen shots could be fake, but they
6 also could have been actually created in a way other
7 than by generating an identification tag and embedding
8 that tag into a web page automatically, right?

9 MR. LEE: Objection, form.

10 THE WITNESS: That's what I mean by faked.

11 BY MR. FRANZINI:

12 Q. For example, it's possible this web site
13 shown on Exhibit 6 was generated manually by a coder
14 coding in HTML, right?

15 A. It's possible.

16 Q. You don't have any corroboration in
17 paragraphs 25 through 29 for your statement that the
18 Knowledge Assembly system itself practiced generating
19 an identification tag representing the subject matter
20 of the streaming video or your statement that the
21 Knowledge Assembly system actually performed embedding
22 the tag in a web page, right?

23 A. That's correct.

24 Q. Please turn to paragraph 30.

25 In paragraph 30 you discuss the materials

1 from the Internet archive?

2 A. Yes.

3 Q. Did you yourself create the materials from
4 the Internet archive that Google produced?

5 A. I did not.

6 Q. So you have no idea whether the Internet
7 archive materials are actually materials from the
8 Internet archive?

9 A. I cannot -- I can't prove that.

10 Q. Well, you have no idea one way or the other
11 whether they're actually what they say they are,
12 right?

13 A. I certainly have an idea.

14 Q. Withdrawn.

15 A. I certainly have an opinion, but does what we
16 see in front of us represent proof, no.

17 Q. You're not able to show these are authentic
18 documents that represent the Knowledge Assembly web
19 site at the time, right?

20 A. Other than my memory of it, which is very
21 clear.

22 Q. Okay. So your memory -- withdrawn.

23 You say that the -- withdrawn.

24 Please take a look at the bottom of paragraph
25 30, it says "While these snapshots do not represent

1 the entirety of our web site, they are consistent with
2 my recollection of our Knowledge Assembly web site at
3 those times."

4 Is that a true statement?

5 A. That's correct, yes, it's beyond being --
6 actually being consistent with my recollection.

7 I'm convinced that these are the screen shots
8 from the system as it existed at that time.

9 Q. Now, some of the documents that you cite
10 include a discussion of how the Knowledge Assembly
11 system worked?

12 A. Right.

13 Q. Is that discussion of how the system worked
14 at the time accurate in your recollection?

15 A. Yes.

16 Q. You wouldn't put up on your web site --
17 withdrawn.

18 At the time that you were working on
19 Knowledge Assembly, would you have put up a
20 description of the product that was inaccurate?

21 A. No.

22 Q. So if the snapshots that are cited in
23 Exhibit 30 describe the operation of the system, it's
24 your testimony that description is an accurate
25 description of the system at the time?

1 MR. LEE: Objection, form.

2 THE WITNESS: Yeah, as -- to the extent that
3 I understood it at the time or it existed at the time,
4 it was accurate.

5 BY MR. FRANZINI:

6 Q. For example, some of the documents include a
7 product description. That product description is an
8 accurate description of the product, the Knowledge
9 Assembly system at the time that description was
10 written?

11 A. At the time, and we were -- I was
12 experimenting with different ways of describing the
13 system.

14 Descriptions of how the product worked were
15 not intended to be technical -- technically accurate,
16 but intriguing and explanatory to people who might
17 have interest in an application of the software.

18 Q. They provided an accurate high-level
19 description of the service?

20 A. I think so.

21 Q. You're not aware of any misstatements in any
22 of those documents?

23 A. I did not pore over them looking for same,
24 but I'm not aware of misstatements.

25 Q. After paragraph 30 where you list the

1 documents, you provide a description of several
2 documents, one from January 16, 1999, one from April
3 24 and one from October 2nd.

4 Do you see that?

5 A. Yes.

6 Q. In paragraph 30-A you describe what you call
7 the January 16, 1999 archive, that's on page 14?

8 A. Right.

9 Q. That has Bates number GOOG-VS-PA 00016537?

10 A. Yes.

11 MR. FRANZINI: May I have this marked as
12 Exhibit 7, please.

13 (Whereupon, Exhibit 7, document entitled
14 "Knowledge Assembly, Inc. product description" Bates
15 stamps GOOG-VS-PA 00016537 to 538, was marked for
16 identification.)

17 BY MR. FRANZINI:

18 Q. I'm showing you a document that's been marked
19 as Exhibit 7, Bates number GOOG-VS-PA 00016537 titled
20 "Knowledge Assembly, Inc. product description."

21 A. Right.

22 Q. Is this the document that you cite in
23 paragraph 30-A of your report?

24 A. Yes.

25 Q. Did you review this document before writing

1 your report?

2 A. I looked at it. I did not pore over the
3 meaning of every sentence.

4 It seems to me that I saw it because I had
5 written it, that it was my product description at
6 least at that time and so I didn't spend a lot of time
7 reviewing it, but it was a marketing document.

8 Q. Well, in paragraph 30-A you provided a
9 description of the January 16, 1999 archive, right?

10 A. Right. Where is that now?

11 Q. Page 14, paragraph 13-A.

12 As we mentioned before, you understand it's
13 an important issue in this case whether the Knowledge
14 Assembly system received -- withdrawn.

15 You understand that an important issue in
16 this case is whether the Knowledge Assembly system
17 received a video file via a web page, right?

18 MR. LEE: Objection, form, calls for
19 speculation, calls for a legal conclusion.

20 THE WITNESS: Yes.

21 BY MR. FRANZINI:

22 Q. In paragraph 30-A, you don't state that the
23 Knowledge Assembly product description document
24 provides any support for the idea that the Knowledge
25 Assembly embodiment performs receiving a video file

1 via a web page, right?

2 A. Let me see. I'm going to read this. 30-A
3 you're saying?

4 Q. Yes.

5 A. Okay.

6 Your question again, please?

7 Q. In paragraph 30-A you provide a description
8 of the January 16, 1999 archive?

9 A. Right.

10 Q. But in that paragraph, you don't say that
11 this document provides any support for the idea that
12 the Knowledge Assembly system as of the time of this
13 document actually performed receiving a video file via
14 a web page, right?

15 A. In 30-A, there's not proof.

16 Q. It doesn't state it at all, right?

17 A. I'm sorry, I'm confusing myself. You're
18 going to have to repeat the question.

19 Q. In your description of the January 16, 1999
20 archive, you don't say hey, this document shows that
21 the Knowledge Assembly service as of the date on this
22 document performed receiving a video file via a web
23 page, right?

24 A. Let me see. The question is whether there's
25 proof we were doing it at that time?

1 Q. My question is: In your description of this
2 document you provided, with the understanding that
3 receiving via a web page was an important issue in
4 this case, you didn't say anything about this document
5 providing support for the fact that the Knowledge
6 Assembly system actually performed that limitation,
7 right?

8 A. I'm -- let me see. I'm feeling -- can you
9 restate the question? I'm blanking on this.

10 Q. Now, on January 16 -- withdrawn.

11 You provided a --

12 A. Are we trying to foot this to this or are you
13 asking me a question simply about this?

14 Q. I'm asking about your description of the
15 January 16, 1999 archive in paragraph 30-A.

16 A. Right.

17 Q. We established that the January 16, 1999
18 archive is a document we marked as Exhibit 7?

19 A. Right.

20 Q. In your description of that document that you
21 provide in your report, you don't say that this
22 document provides any evidence that the Knowledge
23 Assembly system as of January 16, 1999 performed
24 receiving via a web page, right?

25 A. This is not evidence that the system was in

1 operation at that time.

2 Is that what you're getting at?

3 Q. Not evidence that the system at the time
4 performed receiving a video file via a web page,
5 right?

6 A. It is -- it's not proof.

7 Q. It doesn't even suggest that, right?

8 A. So it describes the essential functions of
9 the Knowledge Assembly system.

10 I'm missing your point.

11 Q. Exhibit 7 describes the essential functions
12 of the Knowledge Assembly system?

13 A. Correct.

14 Q. But it doesn't describe receiving a video
15 file via a web page, does it?

16 A. Okay. I need to read this to see whether it
17 refers to a web page, receiving it on a web page.

18 Q. There's two parts to my question.

19 The first part is in your description of this
20 document, you didn't say anything about this document
21 demonstrating that the Knowledge Assembly system
22 received a video file via a web page, right?

23 A. That's correct, I didn't include that in this
24 description.

25 Q. In your description of the January 16th, 1999

1 archive, you don't make any mention of the requirement
2 that the receiving be done via a web page, right?

3 A. That's correct.

4 Q. Now, the document that you cite in that
5 section, the January 16, 1999 archive, that document
6 doesn't state or even suggest that the Knowledge
7 Assembly system performs receiving via a web page,
8 right?

9 A. It -- let's see.

10 You're talking about uploading through a web
11 page, it does not talk about uploading through a web
12 page.

13 Q. Doesn't talk about receiving via a web page
14 either, right?

15 A. Well, it doesn't specifically say that.

16 Q. What it actually says is that uploading
17 happened through the KnowledgeCapture software, right?

18 A. That's correct.

19 Q. And you testified before that the
20 KnowledgeCapture software was an application program
21 on the knowledge provider's computer, right?

22 A. That's right.

23 Q. So the description of uploading on Exhibit 7,
24 the Knowledge Assembly product description shows that
25 as of January of 1999, the Knowledge Assembly

1 service -- withdrawn.

2 The Knowledge Assembly product description we
3 marked as Exhibit 7 shows that as of January of 1999,
4 the Knowledge Assembly system received video files via
5 an application program on the knowledge provider's
6 computer not via a web page, correct?

7 MR. LEE: Objection, form, mischaracterizes
8 the document.

9 THE WITNESS: Yeah, it -- a video clip could
10 be uploaded through a web page or KnowledgeCapture.

11 We felt that KnowledgeCapture was a market
12 advantage. That's why we developed it, the original
13 implementation of the Knowledge Assembly system
14 involved uploading through a web page.

15 BY MR. FRANZINI:

16 Q. This product --

17 A. It's not in this description, that's correct.

18 Q. This product description you testified
19 provides an overview of the Knowledge Assembly system,
20 right?

21 A. As it existed at that time, yes.

22 Q. And as of that time, what you chose --
23 withdrawn.

24 The product description describes how
25 knowledge providers could upload video files, correct?

1 A. Correct.

2 Q. What it says is they could do it using an
3 application program on their computer known as
4 KnowledgeCapture, right?

5 A. Uh-huh.

6 Q. It doesn't say and also they could use a web
7 page, right?

8 A. That's correct, it does not say that.

9 Q. This document, Exhibit 7, provides no support
10 for your testimony that the Knowledge Assembly service
11 received video files via a web page, right?

12 A. That's correct. I'm not sure that's the
13 purpose of 30-A.

14 Q. Sir, is it your testimony that the Knowledge
15 Assembly service as of January of 1999 could receive
16 video files via a web page?

17 A. Yes, they could receive it either way.

18 Q. But that's not described in your --

19 A. It's not described because this is a
20 marketing document and we felt that KnowledgeCapture
21 would make the product more saleable.

22 Uploading through a web page was not an easy
23 process and it would have gotten in the way of
24 marketing the product, in our opinion.

25 Q. You could have said hey, knowledge providers,

1 you have the opportunity to upload in two ways, one
2 via a web page and two, via the application program
3 KnowledgeCapture, right?

4 A. Correct.

5 Q. You could have written that in your product
6 description?

7 A. Yes.

8 Q. You didn't?

9 A. I did not.

10 Q. Did a knowledge provider ever actually upload
11 a video file via a web page?

12 A. Yes.

13 Q. You don't have any evidence of that, right?

14 A. I don't have evidence of that.

15 Q. Just your uncorroborated testimony?

16 A. Correct.

17 Q. If the jury is looking at Exhibit 7, the
18 product description of the Knowledge Assembly service,
19 it would be reasonable for them to conclude that
20 uploading took place via the KnowledgeCapture
21 application program and not via a web page, right?

22 A. Right.

23 MR. LEE: Objection, form, calls for
24 speculation.

25 BY MR. FRANZINI:

1 Q. I'm going to ask that again because he
2 interjected.

3 Sir, a jury looking at Exhibit 7, Knowledge
4 Assembly product description, could reasonably
5 conclude that the Knowledge Assembly service received
6 video files via the KnowledgeCapture application
7 program on the knowledge provider's computer, not via
8 a web page, right?

9 MR. LEE: Objection, form, calls for
10 speculation.

11 THE WITNESS: That's correct.

12 BY MR. FRANZINI:

13 Q. Now, please turn back to paragraph 30-A of
14 your report.

15 A. Right.

16 Q. Now, we talked about it before, you
17 understand that converting a video file to a streaming
18 video file at the server is an important issue in this
19 case?

20 A. Yes.

21 MR. LEE: Objection, form, calls for
22 speculation, calls for a legal conclusion.

23 BY MR. FRANZINI:

24 Q. Now, in your description of the January 16,
25 1999 archive, you don't say the Knowledge Assembly

1 product description document shows that the Knowledge
2 Assembly system performed converting a video file into
3 a streaming video file at the server, right?

4 A. That's correct. It was a high-level
5 description of the functions.

6 Q. Now, the Knowledge Assembly product
7 description document does discuss conversion, right?

8 A. I believe so, yeah.

9 Q. What it says is that conversion takes place
10 on the knowledge provider's computer, right?

11 A. Yes, at this point, we were actually doing
12 the -- let me see.

13 I think that this is actually compression.

14 I don't believe this was putting it in a --
15 in streaming format.

16 I think that it compressed the video which
17 was then uploaded and then it was actually converted
18 to a streaming format on the server.

19 The files took a while to upload and so
20 compressing for transmission to the server would be
21 facilitated or would facilitate the process.

22 Q. Is another word for converting to streaming
23 video format encoding?

24 A. Yes.

25 Q. So if you're describing encoding a video

1 file, that refers to converting it?

2 A. It's a means of converting.

3 Q. Now, you understand that -- what a patent
4 claim is?

5 A. I think so.

6 Q. You had -- withdrawn.

7 In your PCT application you included certain
8 claims, right?

9 A. Uh-huh.

10 Q. Did those claims describe the operation of
11 your system at the time you filed that application?

12 A. Yes.

13 Well, the -- let me see.

14 I think that, as we've already demonstrated
15 today, there were differences at different points in
16 time between the current implementation and the
17 description in the applications, both of them.

18 So I can't say without exploring it further
19 what the -- what the -- how well the current
20 implementation corresponded to the description in the
21 patent application.

22 Q. Well, when you submitted claims in your
23 patent application, were you trying to capture what
24 your system actually did?

25 A. Yes, of course.

1 MR. FRANZINI: Have this marked as Exhibit 8,
2 please.

3 (Whereupon, Exhibit 8, PCT application, Bates
4 stamps GOOG-VS-PA 00015690 to 737, was marked for
5 identification.)

6 BY MR. FRANZINI:

7 Q. Showing you a document marked as Exhibit 8,
8 is this your PCT application?

9 A. Yes.

10 Q. Please turn to page 36.

11 Please take a moment to review claim 1.

12 Sir, in claim 1-C, it talks about a knowledge
13 provider operating a first computer to capture clip
14 information, encode clip information and upload an
15 appropriately formatted clip from a first computer to
16 said second computer.

17 Do you see that?

18 A. Yes.

19 Q. The second computer is talking about the
20 Knowledge Assembly server, right?

21 A. Yes.

22 Q. And the first computer is the computer of the
23 knowledge provider?

24 A. That's correct, as it's described here.

25 Q. And the knowledge provider's computer is not

1 the same computer as the Knowledge Assembly server
2 computer?

3 A. That's correct.

4 Q. So in claim 1 it's describing a system where
5 the encoding takes place on the knowledge provider's
6 computer, right?

7 A. That's correct.

8 Q. And the information it provides in claim --
9 withdrawn.

10 The language in element 1-C actually matches
11 up with the description provided on your web site,
12 right?

13 A. It does.

14 Q. So based on that, does this refresh your
15 memory that when you wrote on your web site
16 KnowledgeCapture is a software used by knowledge
17 providers on their own machines to create, approve
18 compress and upload a video clip, you're talking about
19 encoding there?

20 A. I am not sure whether we were compressing for
21 transmission or actually encoding it into an ASF file
22 on the user's machine.

23 The concern in this is how much power there
24 was in the -- that we could count on, the knowledge
25 provider's system to be able to actually encode.

1 So there was a point after which we were
2 promoting the use of the user's -- the knowledge
3 provider's computer for at least compressing and I
4 just don't remember whether we were actually encoding
5 into ASF on their computer or not.

6 Q. You don't recall whether the encoding into
7 ASF format took place on the knowledge provider's
8 computer or on the Knowledge Assembly server computer?

9 A. Initially it took place on the server and the
10 video was uploaded from a web page.

11 We migrated to a system where the -- via
12 client software that would allow the knowledge
13 provider to compress it.

14 I'm just not sure about whether it was
15 actually encoded at that point, but I believe
16 throughout it was possible to upload from a web site,
17 it's just that we weren't -- we weren't promoting that
18 because we felt that knowledge providers would find it
19 easier to use their own local software.

20 Q. All this took place 18 years ago about?

21 A. Yeah.

22 Q. Is it hard to recall the details of when
23 specific events took place?

24 A. It is.

25 Q. And you don't have any corroboration for your

1 recollection that it could take place over a web page,
2 right?

3 A. I don't have the corroboration.

4 Q. And, in fact, all the documents that you have
5 that describe the system talk about uploading via an
6 application program on the user's computer and
7 encoding at the user's computer, right?

8 A. That's right. That's the marketing face that
9 we were presenting to the world.

10 Q. And it's certainly possible to implement the
11 Knowledge Assembly system as it existed using encoding
12 at the client side -- withdrawn.

13 It was possible to implement the Knowledge
14 Assembly system using encoding that took place on the
15 client side and uploading via an application program
16 rather than a web page?

17 MR. LEE: Objection, form, calls for
18 speculation.

19 THE WITNESS: It was possible to implement
20 that way.

21 BY MR. FRANZINI:

22 Q. It wasn't necessary to upload via a web page
23 in order for the Knowledge Assembly service to work?

24 A. That's correct.

25 Q. It also wasn't necessary to do conversion on

1 the server side in order for the Knowledge Assembly
2 service to work, right?

3 A. No, but we always had that capability.

4 Q. It wasn't necessary, right?

5 A. It wasn't necessary.

6 Q. When did you start developing the Knowledge
7 Assembly service?

8 A. The service --

9 Q. Withdrawn.

10 When did you start developing the Knowledge
11 Assembly system?

12 A. I do have -- let me see.

13 I started in -- in '96, '97 thinking about it
14 and talking to people who could help me to create it.

15 In '98 we had a working prototype that we
16 could test and then in, I guess, early '99, we had a
17 system that was ready for beta.

18 Q. Do you -- withdrawn.

19 You drew a distinction between a prototype
20 and a system that was ready for beta.

21 Is the prototype something that you and your
22 co-inventor tested internally?

23 A. Yes.

24 Q. And what was the other word you used, beta?

25 A. A beta system.

1 Q. And the beta system was the one that you used
2 publicly?

3 A. Yes.

4 Q. When you were -- withdrawn.

5 When you said -- withdrawn.

6 When you said you actually reduced to
7 practice a working embodiment, you were talking about
8 the beta, right?

9 A. Yes.

10 Q. So your statements about the Knowledge
11 Assembly system as of October 5th of 1998, those were
12 talking about the beta system, right?

13 A. I think it's -- I think that's true, yeah, in
14 '88, we were still testing and we were trying to get
15 feedback as evidenced by those process e-mails, get
16 feedback on the design and, in fact, of the web page
17 and capabilities to make the process smooth.

18 Q. So the October 5, 1998 embodiment wasn't your
19 internal prototype, it was the beta you shared with
20 others to get feedback?

21 A. The implementation at that time?

22 Q. Correct.

23 A. I'm -- let me see.

24 I don't have a recollection of which system
25 at that time was specifically in operation.

1 I think that we had a system that we were
2 testing in early '99 and that must have been the beta
3 at that point.

4 And there was not a clear distinction in
5 terms of versions, there were improvements that are
6 being made all the time and so what was being used at
7 that moment in October, I'm uncertain about.

8 I know that it was in -- it was developed and
9 in operation at that time because, as I mentioned
10 before, that was the focus of our attention, getting a
11 working system, not so much on getting it patented.

12 Q. So you mentioned that there were several
13 different versions and iterations of the system?

14 A. Not cleanly defined, but there were
15 improvements being made as we tested the system and
16 found things that we could do.

17 Also at that time we were beginning to
18 develop the web site which would be the public face of
19 the system.

20 Q. So I think you mentioned in your report it
21 took about ten months to develop the working
22 prototype?

23 A. I think so.

24 Q. Did you start development in late '97?

25 A. I would think so.

1 Q. So the system was in operation through 2001?

2 A. Yes.

3 Q. Do you know what month in 2001?

4 A. No.

5 Q. Was it the end of the year, the beginning of
6 the year?

7 A. I think that the system may have still been
8 in operation in early '02.

9 I just -- I haven't spent a lot of time
10 trying to figure when we took the system down.

11 Q. So from the moment you began developing the
12 system in late 1997 through the end of 2001 or
13 beginning of 2002, there were a number of different
14 versions with different features that were in
15 production, right?

16 A. Correct, but they all involved creation of
17 video and the transmission of that to a server and the
18 management of those clips into a form that where they
19 could be played and sequenced to create the
20 asynchronous form.

21 Q. Now, in some versions of the system encoding
22 or compression took place on the knowledge provider's
23 computer, right?

24 A. Some, correct.

25 Q. And in other versions of your system, the

1 encoding or converting into a streaming video format
2 took place on the Knowledge Assembly server, right?

3 A. Right.

4 Q. You don't recall which version had conversion
5 on the user's computer versus the server computer,
6 right?

7 A. Do I recall when that occurred?

8 Q. You don't recall which versions of the
9 Knowledge Assembly system involved conversion on the
10 user's computer and which versions involved conversion
11 at the server, right?

12 A. I can't place that in time.

13 The description of the application didn't
14 talk about the KnowledgeCapture because it had not
15 occurred to us at that time.

16 Q. Well, we just looked at claim 1 and that
17 described conversion at the user computer, right?

18 A. That's correct, but it's -- that's in the PCT
19 application.

20 The preliminary application is what occurred
21 in '98.

22 And at that point, we were -- we didn't have
23 the KnowledgeCapture or what we later termed Knowledge
24 Producer software.

25 Q. So is it your testimony that your patent

1 application that you filed in 1998 didn't discuss
2 conversion at the user computer?

3 A. I don't think it did.

4 Q. Please turn back to Exhibit 7, the product
5 description.

6 At the bottom of the page, the product
7 description talks about the KnowledgeServer.

8 Do you see that?

9 A. Uh-huh.

10 Q. It says that the host --

11 A. Yes.

12 Q. Withdrawn.

13 The product description says that the
14 KnowledgeServer is the host-based software component.

15 Do you see that?

16 A. Yes.

17 Q. That's the component of the Knowledge
18 Assembly server -- withdrawn.

19 That's the component of the Knowledge
20 Assembly system that was running on the Knowledge
21 Assembly server?

22 A. At that time, correct.

23 What is the date? How does one figure the
24 date on this?

25 Q. Well, in your report you stated that the date

1 is January 16, 1999.

2 A. Yeah.

3 Q. Does that sound right?

4 A. Well, that's what it says up at the top, but
5 there's a range given and I find it confusing, but
6 that's the first date in the range.

7 Go ahead.

8 Q. You're not sure when this document was
9 created?

10 A. Well, I'm questioning that at this point, but
11 the fact that it talks so clearly about
12 KnowledgeCapture makes me think that it was -- it
13 might well have been later than January of -- January
14 16.

15 Q. If, in fact, it was January 16, is it
16 possible that KnowledgeCapture was actually
17 implemented earlier than you recalled?

18 A. If I'm describing KnowledgeCapture in mid
19 January, then that's earlier than I recall, but it
20 might well be.

21 Q. It's possible that KnowledgeCapture existed
22 as of October of 1998, right?

23 A. I don't think so because it's -- because it's
24 not described in the patent application.

25 Q. So if the patent application describes

1 conversion on the user computer, then it's possible
2 that KnowledgeCapture existed as of October of 1998?

3 A. Yeah.

4 Q. Just to be clear, if there's a description on
5 your web site as of October 2nd of 1999 that describes
6 the knowledge -- is it producer -- withdrawn.

7 If there's a description on your web site as
8 of October of 1999 that discussed the knowledge
9 providers using software on their own machines to
10 create, approve, compress and upload video clips, that
11 would suggest that the KnowledgeCapture actually came
12 out earlier than you recall?

13 A. Yes, as some of that may be anticipatory, but
14 it certainly would indicate that we had decided to
15 create server software -- I mean a client software
16 earlier than I recalled, yes.

17 Q. So if you had created client software earlier
18 than you recalled, then it's certainly possible that
19 as of October 5th of 1998, the Knowledge Assembly
20 system performed converting to streaming video format
21 on the knowledge provider's computer and uploaded
22 using an application program not a web site, right?

23 MR. LEE: Objection, form, calls for
24 speculation.

25 THE WITNESS: It's possible.

1 BY MR. FRANZINI:

2 Q. Please turn back to Exhibit 7. The
3 KnowledgeServer is the software running on the
4 Knowledge Assembly server?

5 A. Right.

6 Q. Now, the Knowledge Assembly product
7 description from January of 1996, it didn't say
8 anything about the KnowledgeServer performing
9 conversion to streaming video format, right?

10 A. Which description are you talking about in
11 1996?

12 Q. Withdrawn, I'm sorry.

13 The Knowledge Assembly product description
14 from January 16 of 1999 that we marked as Exhibit 7,
15 that doesn't say anything about the Knowledge Assembly
16 server performing converting a video file into a
17 streaming video file, right?

18 A. That's correct.

19 Q. It also doesn't say anything about the
20 Knowledge Assembly server automatically embedding an
21 identification tag into a web page, right?

22 A. No, it doesn't specifically state that.

23 Q. Now, the Knowledge Assembly product
24 description does describe the operations of the
25 KnowledgeServer, right?

1 A. Yes, this is a marketing document and so how
2 it performed these tasks is not significant.

3 It doesn't -- it doesn't describe and I
4 notice that there's another page that's missing, but
5 it doesn't describe the -- let me see.

6 It describes the organizing -- uploading
7 clips into VideoForums, managing -- manages the
8 interaction in the system -- so it doesn't describe
9 all of the functions of the server side software, and,
10 as I say, it was a marketing document and intended to
11 give people a sense of how much it worked from a
12 user's perspective.

13 Q. Did you author the product description on the
14 Knowledge Assembly web site?

15 A. I did.

16 Q. When you chose to describe the
17 KnowledgeServer, you talked about how it organizes the
18 uploaded clips and manages viewer interaction, right?

19 A. Right.

20 Q. You didn't mention anything about the
21 KnowledgeServer converting a video file into a
22 streaming video format, right?

23 A. That's correct.

24 Q. You also didn't mention anything about the
25 video server automatically generating an

1 identification tag, right?

2 A. No. This is not a technical description is
3 the -- is the reason.

4 I mean who would know what an identification
5 tag is in a marketing document.

6 Q. Here it's talking about organizing the
7 uploaded clips, right?

8 A. Right.

9 Q. Those are those same clips that were uploaded
10 by the knowledge provider?

11 A. Right.

12 Q. So it doesn't talk about conversion at all,
13 right?

14 A. No, it doesn't.

15 Q. In fact, what it says is those same clips are
16 the ones that are organized by the KnowledgeServer,
17 right?

18 A. It doesn't -- the user is not going to be
19 interested in whether the video is encoded and where.

20 So it's not intended to be a description of
21 what technical steps were taken at what point in the
22 process.

23 Q. Well, when you describe the KnowledgeCapture
24 software you said that the KnowledgeCapture compressed
25 video files, right?

1 A. That's right.

2 Q. Do you think the user was interested in that?

3 A. I think so and compression is something
4 that's more easily understood because people were
5 concerned about how long video files took to transmit.

6 Q. Now, if a video file is encoded into ASF
7 format, that makes it smaller, right?

8 A. It does.

9 Q. So converting on the knowledge provider's
10 computer would result in shorter upload times, right?

11 A. Correct.

12 Q. Did you think it was obviously a good idea at
13 the time that you were -- withdrawn.

14 At the time that you were working on the
15 Knowledge Assembly system, did you think it was an
16 obviously good idea to do conversion at the server
17 rather than the knowledge provider's computer?

18 A. Yes.

19 Q. Well, if you did it at the knowledge
20 provider's computer, it would result in the video file
21 being uploaded being smaller, right?

22 A. Correct, but I'm making a distinction between
23 compressing it for transmission and encoding it for
24 streaming.

25 And the encoding for streaming is something

1 that we wanted to control on the server side because
2 there were new versions coming out all the time and we
3 wanted to be able to manage the load and call for --
4 and have the program automatically encode things as
5 they came.

6 The other reason to do it on the server is
7 because we couldn't predict what kind of power was
8 available on the knowledge provider's computer.

9 Some of them were using old equipment on
10 which it wouldn't run.

11 So I don't believe that we ever implemented a
12 version of the software that did the conversion to a
13 streaming file, that is to ASF before transmitting it
14 to the software.

15 I think that was always a server side
16 function.

17 Q. Sir, didn't you testify before in certain
18 versions it did it at the client side?

19 A. We talked about it, but I don't believe that
20 we implemented it as -- as a -- I don't think I ever
21 said that Knowledge Producer or KnowledgeCapture
22 software actually performed that.

23 We certainly considered it, but I don't --
24 and we may have implemented it in that way, but I
25 don't believe that we were talking about that here.

1 Q. Well, before we were talking about your PCT
2 application and we looked at claim 1 and we saw that
3 claim 1 claims encoding on the computer of the
4 knowledge provider, right?

5 A. Right.

6 Q. You testified before that when you were
7 drafting the claims of claim 1 you were trying to
8 capture what your system actually did at the time.

9 Do you remember that?

10 MR. LEE: Objection, form, mischaracterizes
11 testimony.

12 THE WITNESS: I'm lost. So you're going back
13 to the PCT application?

14 BY MR. FRANZINI:

15 Q. Before we were talking about the PCT
16 application?

17 A. Right.

18 Q. And I think your testimony before was that at
19 the beginning, you did conversion at the server side
20 and then you moved it to conversion at the computer of
21 the knowledge provider and that was reflected in your
22 PCT application?

23 MR. LEE: Objection, form, mischaracterizes
24 testimony.

25 BY MR. FRANZINI:

1 Q. Do you recall giving that testimony?

2 A. Well, I don't -- I've been confused about
3 this matter from the beginning, whether we actually
4 implemented it with the encoding on the server or on
5 the -- or on the client computer.

6 I think that we thought it was ideal to do it
7 on the client side because our interest in doing that
8 was that we would be able to distribute the processing
9 power and load balance better on the server, but I
10 don't think that that was the ideal application and
11 for the reason I described earlier, I don't think we
12 ever really implemented that as the primary version of
13 the product.

14 Q. Well, sir, before -- withdrawn.

15 Before you testified it was ideal to have the
16 encoding on the server side because you wanted to
17 control the encoding.

18 Now you're telling me it's ideal to have it
19 on the client side because you want to distribute the
20 processing power?

21 MR. LEE: Objection.

22 BY MR. FRANZINI:

23 Q. Do you recall which of the two you actually
24 did?

25 MR. LEE: Objection, form, mischaracterizes

1 testimony.

2 THE WITNESS: I think that we experimented
3 with both and I, frankly, don't remember which won
4 out.

5 I just don't have a recollection of which
6 of -- which we actually delivered to the clients and
7 it would have to depend on their machine.

8 So, you know, I've given you both the logic
9 for both sides and I think you've pointed out that in
10 the PCT application we put it down as doing it on the
11 client side, as doing the encoding on the client side,
12 that's where we came on.

13 I don't -- I don't know where we ended up.

14 BY MR. FRANZINI:

15 Q. Sir, is it fair to say that you don't recall
16 one way or the other whether conversion of a video
17 file to streaming video format was done at the client
18 computer or at the Knowledge Assembly server computer
19 in your beta embodiment?

20 A. It's entirely possible to do both, but -- in
21 the beta, I'm -- seeing it in the PCT application
22 gives me some -- gives me the impression that we were
23 implementing it that way.

24 We were certainly seeing that as the way of
25 the future and so we were looking in that direction

1 and perhaps the description in the PCT application
2 anticipated movement in that direction and didn't
3 reflect the current state of the software, but there
4 is an inconsistency and I don't think I can say where
5 we were on this matter at the time of the beta.

6 Q. So you don't recall one way or the other
7 whether at the time of the beta -- withdrawn.

8 You don't recall one way or the other whether
9 at the time of the beta your Knowledge Assembly system
10 actually practiced converting a video file into
11 streaming video format at the Knowledge Assembly
12 server as opposed to on the computer of the knowledge
13 provider, right?

14 A. Let me just see what -- take a look at the
15 e-mails that were sent --

16 Q. Sir, as you sit here today, you don't recall
17 one way or the other whether at the time of your beta,
18 your Knowledge Assembly system performed converting a
19 video file to streaming video format at the server as
20 opposed to on the computer of the knowledge provider,
21 right?

22 A. That's why I wanted to take a look at the
23 e-mails because they would reflect -- they might
24 reflect which version of the system they were using.

25 Q. We will take a look at the e-mails in a

1 second, but I want to get a clear question on the
2 record -- withdrawn.

3 As you sit here today, you don't know one way
4 or the other whether the Knowledge Assembly system
5 performed converting a video file into streaming video
6 format at the Knowledge Assembly server or at the
7 computer of the knowledge provider, right?

8 A. At the time of the beta, I think that's true.

9 MR. FRANZINI: It's 12:30, happy to take a
10 break.

11 THE VIDEOGRAPHER: Time is 12:31 and we are
12 off the record.

13 (Whereupon a lunch recess was taken.)

14

15

16

17

18

19

20

21

22

23

24

25

AFTERNOON PROCEEDINGS

1
2 THE VIDEOGRAPHER: The time is 1:20 and we
3 are on the record.

4 BY MR. FRANZINI:

5 Q. Before lunch, you mentioned that you weren't
6 sure whether the actual implementation of the
7 Knowledge Assembly -- withdrawn.

8 Before lunch you mentioned you weren't sure
9 whether the actual embodiment of the Knowledge
10 Assembly system performed converting a video file into
11 a streaming video file at the server or at the client
12 and you wanted to look at some documents to refresh
13 your recollection.

14 Do you remember that?

15 A. Yes.

16 MR. LEE: Objection, form, mischaracterizes
17 testimony.

18 BY MR. FRANZINI:

19 Q. The reason you wanted to look at documents is
20 because human memory fades and you wanted to --
21 withdrawn.

22 The reason you wanted to look at documents is
23 because human memories fade, but documents stay the
24 same?

25 MR. LEE: Objection, form, argumentative.

1 THE WITNESS: Is there a question there?

2 BY MR. FRANZINI:

3 Q. Yes.

4 A. Okay. I was not looking for -- withdraw.

5 I am -- I was looking for a clue as to what
6 version of the system we were using at that time and
7 it -- I don't have a memory of when specific --
8 whether we were using that system specifically and, in
9 fact, the documents might not suggest whether people
10 who were giving feedback were using the client
11 software -- yeah, the client software or not.

12 And there is the question -- actually I don't
13 think those documents would shed light on whether, in
14 fact, the server or the client software was doing the
15 encoding.

16 Q. Well, you understand that, in general, human
17 memories fade, right?

18 MR. LEE: Objection, form.

19 THE WITNESS: I do.

20 BY MR. FRANZINI:

21 Q. It's possible that events that happened a
22 long time ago like 18 years ago are ones that
23 aren't -- withdrawn.

24 It's possible for people to misremember how
25 events happened in the past, right?

1 MR. LEE: Objection, form.

2 THE WITNESS: It's possible.

3 BY MR. FRANZINI:

4 Q. One of the ways to confirm one's memory is to
5 look at documents, right?

6 A. Right.

7 Q. Now, you understand that the law requires
8 that the -- withdrawn.

9 You understand that the testimony of a single
10 witness is not enough to invalidate a patent; you need
11 corroboration, right?

12 MR. LEE: Objection, form, calls for a legal
13 conclusion.

14 THE WITNESS: I hear you saying that.

15 BY MR. FRANZINI:

16 Q. Is that your understanding of the law?

17 A. I don't actually have an understanding of
18 that law.

19 Q. Well, does -- withdrawn.

20 One way to make sure that a person's memory
21 is accurate is by showing documents from the time that
22 corroborate what that person thinks, right?

23 A. Correct.

24 MR. LEE: Objection, form.

25 BY MR. FRANZINI:

1 Q. So one of the ways -- withdrawn.

2 If you wanted to find out whether in the
3 Knowledge Assembly system the conversion took place at
4 the server or at the computer of the knowledge
5 provider, one way to try to remember is to look at
6 documents from the time, right?

7 A. Right, if there are documents that shed light
8 on it.

9 Q. Showing you a document -- withdrawn.

10 MR. FRANZINI: Mark this as Exhibit 9.

11 (Whereupon, Exhibit 9, document entitled
12 "Knowledge Assembly description," was marked for
13 identification.)

14 BY MR. FRANZINI:

15 Q. I'm showing you a document that was marked as
16 Exhibit 9, it's a WayBack machine snapshot from
17 October 2nd, 1999 of the Knowledge Assembly
18 description web page.

19 A. Okay.

20 Q. Does this appear to be a printout of the
21 Knowledge Assembly web page from October 2nd of 1999?

22 A. It does, although I have the same question
23 about the -- about the range of dates that are
24 indicated at the top.

25 My question before was -- let me see.

1 Yeah, so I'm not sure what the date is of
2 this capture.

3 Do you know how to interpret that?

4 Q. Well, I mean -- withdrawn.

5 In your report, for example, in paragraph
6 30-A, you represent that the GOOG-VS-PA 00016537
7 document is from January 16 of 1999?

8 A. Right.

9 Q. And that's based on the date January 16, 1999
10 being highlighted in black in the top right-hand
11 corner, correct?

12 A. Left, correct?

13 Oh, I see over there, okay.

14 Q. Let me ask it differently -- withdrawn.

15 Please take a look at Exhibit 7.

16 In your report, you state that this is a --
17 withdrawn.

18 In your report you state that this shows the
19 Knowledge Assembly web site as of January 16, 1999,
20 right?

21 A. Right.

22 Q. And that's based on the date in the top
23 right-hand corner January 16, 1999?

24 A. I see that.

25 Q. Is that what you based your representation in

1 your report this is a snapshot from January 16 of 1999
2 on?

3 A. Well, I actually was looking at the -- on the
4 left side where it shows the ranges and that's what
5 today I questioned the wisdom of doing.

6 So it's over here on the right, but I was
7 using this date.

8 Q. Well, the date on the left shows the number
9 of captures of that web site, right?

10 A. Well, and the range of dates from which those
11 captures are taken, but --

12 Q. And the date on the right is what shows it's
13 from January 16, 1999?

14 A. Presumably so, yes.

15 Q. Using that same methodology for Exhibit 9,
16 does this appear to be snapshot from October 2nd,
17 1999?

18 A. Correct.

19 Q. That's three days before you submitted your
20 provisional application?

21 A. Okay.

22 Q. Is that correct?

23 MR. LEE: Objection, mischaracterizes
24 testimony -- that's it.

25 THE WITNESS: I -- my only question is

1 whether that's the specific date now, but I did use
2 that date and so let me see.

3 It appears to be so, yes.

4 BY MR. FRANZINI:

5 Q. Okay.

6 A. It's seeming warm in here to me.

7 MR. FRANZINI: I agree for what it's worth.

8 MR. LEE: Go off the record.

9 MR. FRANZINI: Take a break.

10 THE VIDEOGRAPHER: The time is 1:28 and we
11 are off the record.

12 (Recess taken.)

13 THE VIDEOGRAPHER: The time is 1:30 and we
14 are on the record.

15 MR. LEE: Simon, I wanted to go back. You
16 were saying -- I don't know if you were mistaking 1998
17 for 1999 earlier when you were saying in your line of
18 questioning, just pointing that out.

19 MR. FRANZINI: I don't know if there's a
20 question pending, so withdrawn if there is.

21 BY MR. FRANZINI:

22 Q. I'm showing you a document -- withdrawn.

23 Please take a look at the Knowledge Assembly
24 description from October 2nd of 1999.

25 A. Right.

1 Q. That's two days before you filed your October
2 1999 PCT application?

3 A. That's correct.

4 Q. Please take a look at the second page, the
5 last paragraph says "Knowledge Producer is the
6 software used by discussants on their own machines to
7 create, approve, compress and upload their video
8 clips"?

9 A. Let's see, the second page. You mean on the
10 flip side, yes.

11 Q. Now, if you take a look at your PCT
12 application which is Exhibit 8, turn to page 36, claim
13 1.

14 A. Right.

15 Q. Element C says -- describes a knowledge
16 provider operating a first computer to capture clip
17 information, encode clip information and upload an
18 appropriately formatted clip to said second computer?

19 A. Right.

20 Q. The description in claim 1 of the PCT
21 application you filed two days later matches the
22 description on your web site?

23 MR. LEE: Objection, form.

24 THE WITNESS: They were not written at the
25 same time, but that -- they're at approximately the

1 same time, yes.

2 BY MR. FRANZINI:

3 Q. The process that's being described in claim 1
4 of your PCT application is -- mirrors the process
5 being described on your web page, right?

6 A. It does. It doesn't necessarily say we were
7 actually encoding on the knowledge provider's computer
8 in an ASF format, but it's -- it may say that.

9 Q. It certainly suggests when you say on
10 Exhibit 9 "Knowledge Producer is the software used by
11 discussants on their own machines to create, approve,
12 compress and upload their video clips," compress
13 refers to encoding cited in claim 1?

14 A. It may.

15 Q. It does suggest that?

16 A. Yeah, it does suggest. It's in approximately
17 the same time frame.

18 Q. It suggests that as of October -- withdrawn.

19 That suggests that as of October of 1999, the
20 Knowledge Assembly system converted a video file to
21 streaming video format on the computer of the
22 knowledge provider, not on the server, right?

23 A. It suggests that and it's entirely possible
24 that the server was available for encoding as well,
25 having received the file from a web page.

1 Q. It's possible, but what this document
2 suggests is that the compression or encoding was done
3 on the computer of the knowledge provider, right?

4 A. That's what we were selling.

5 Q. If you look at Exhibit 7, which is your
6 product description from January of 1999, that has the
7 same description, right?

8 A. Right.

9 Q. So that suggests as of January 1999 as well,
10 the encoding took place on the computer of the
11 knowledge provider, right?

12 A. It suggests that, yes.

13 Q. So Knowledge Assembly product description
14 document from January of 1998 suggests that the
15 Knowledge Assembly system at that time performed
16 converting the video file to streaming video format on
17 the computer of the knowledge provider, not the
18 server, right?

19 MR. LEE: Objection, form, mischaracterizes
20 the document.

21 THE WITNESS: Yeah, it's January 1999. I
22 think you said January 1998.

23 BY MR. FRANZINI:

24 Q. I'll give you a new question, then --
25 withdrawn.

1 The Knowledge Assembly product description
2 document suggests that as of January of 1999 the
3 Knowledge Assembly system performed converting the
4 video file to streaming video format at the computer
5 of the knowledge provider, not the Knowledge Assembly
6 server, right?

7 MR. LEE: Objection, form, mischaracterizes
8 the document.

9 THE WITNESS: It suggests that because that
10 transition, obviously, was made, anticipated, made at
11 some point and it might well have been in place at
12 that time.

13 BY MR. FRANZINI:

14 Q. And the PCT application, that also suggests
15 that as of January -- withdrawn.

16 The PCT application, that also suggests that
17 as of October of 1999, the Knowledge Assembly system
18 performed converting the video file to streaming video
19 format at the computer of the knowledge provider, not
20 the Knowledge Assembly server, right?

21 MR. LEE: Objection, form.

22 THE WITNESS: That's what the PCT application
23 says.

24 BY MR. FRANZINI:

25 Q. Before we talked about receiving via a web

1 page?

2 A. Uh-huh.

3 Q. The Knowledge Assembly product description
4 web page, that suggests that as of January of 1999,
5 the receiving of the video file was done via an
6 application program installed on a user's computer,
7 not a web page, right?

8 MR. LEE: Objection, form, mischaracterizes
9 the document.

10 THE WITNESS: I'm sorry, on the computer and
11 not on a web page.

12 You might as well repeat the question.

13 BY MR. FRANZINI:

14 Q. Give you a new question, withdrawn.

15 If we wanted to figure out whether as of
16 January 16 of 1999 the Knowledge Assembly system
17 received video files via a web page or via an
18 application program installed on the knowledge
19 provider's computer, one of the things we might look
20 at is the documents from the time describing the
21 service, right?

22 A. Right.

23 Q. And if we looked at the printout from the
24 Knowledge Assembly web site from January of 1999, that
25 document would suggest that the Knowledge Assembly

1 system received video files via an application program
2 on the user's computer, not via a web page, right?

3 MR. LEE: Objection, form.

4 THE WITNESS: I think that's correct.

5 BY MR. FRANZINI:

6 Q. Please turn to paragraph 30 of your report.

7 A. All right.

8 Q. Here you cite a number of documents WayBack
9 machine?

10 A. Uh-huh.

11 Q. Do any of these documents corroborate --
12 withdrawn.

13 Do any of the documents in paragraph 30 of
14 your report -- withdrawn.

15 Do any of the documents cited in paragraph 30
16 of your report suggest that the Knowledge Assembly
17 system received video files via a web page at any
18 time?

19 A. No.

20 Q. Do the materials cited in paragraph 30 of
21 your report suggest that the Knowledge Assembly system
22 performed converting of a video file into a streaming
23 video file at the Knowledge Assembly server rather
24 than the computer of the application provider?

25 A. No, I don't think they do.

1 Q. Now, before we talked about generating an
2 identification tag representing a subject matter of
3 the streaming video file and identifying the streaming
4 video file?

5 A. Uh-huh.

6 Q. That was discussed in paragraphs 25 through
7 29?

8 A. Uh-huh.

9 Q. Do any of the materials that you cite in
10 paragraph 30 of your report provide any evidence that
11 the Knowledge Assembly system performed generating an
12 identification tag representing a subject matter of
13 the streaming video file and identifying the streaming
14 video file?

15 A. I need you to repeat the question.

16 Q. Do any of the documents that you cite in
17 paragraph 30 of your report --

18 A. Right.

19 Q. -- provide any evidence that the Knowledge
20 Assembly system performed generating an identification
21 tag representing a subject matter of the streaming
22 video file and identifying the streaming video file?

23 A. I'm mindful of your comments about these
24 might have been produced by a method other than from
25 the computer because the assumption has been that my

1 knowledge and the assumption behind the assertions
2 here are that the computer used the scripts to convert
3 inputs into a -- into an identifying tag and that that
4 is what was used to generate the web site -- I mean
5 the web page, indeed, the one pictured here.

6 But given your suggestion there's uncertainty
7 about the source of these pages from the WayBack
8 machine, I have to say it doesn't -- it doesn't prove
9 that the tag was generated and used by the system to
10 operate in that manner.

11 Q. Well, it's not just my suggestion, right, you
12 testified that the snapshots of the web site didn't
13 provide any information about how that web site was
14 generated, right?

15 MR. LEE: Objection, form, mischaracterizes
16 testimony.

17 THE WITNESS: That's correct, I know
18 otherwise, but it doesn't prove that we were not
19 putting up a demo and creating this to give the
20 appearance.

21 BY MR. FRANZINI:

22 Q. Well, it doesn't provide any information at
23 all about how that web site was generated, right?

24 A. No information.

25 Q. So based on your own testimony, there's

1 nothing cited in paragraph 30 of your report that
2 suggests that the Knowledge Assembly system performed
3 generating an identification tag representing a
4 subject matter of the streaming video file and
5 identifying the streaming video file, correct?

6 MR. LEE: Objection, form, mischaracterizes
7 testimony.

8 THE WITNESS: That's correct.

9 BY MR. FRANZINI:

10 Q. We also talked about embedding the
11 identification tag into a web page for serving the
12 streaming video file.

13 Do you remember that?

14 A. Uh-huh.

15 Q. You talk about that limitation in paragraphs
16 25 through 29, right?

17 A. Right.

18 Q. Now, do any of the materials you cite in
19 paragraph 30 of your report provide any evidence that
20 the Knowledge Assembly system performed embedding the
21 identification tag into a web page for serving the
22 streaming video file?

23 A. It does not prove that.

24 Q. Doesn't provide any evidence of that, right?

25 A. It doesn't provide --

1 Q. I'll do it again.

2 The materials cited in paragraph 30 of your
3 report do not provide any evidence that the Knowledge
4 Assembly system performed embedding the identification
5 tag into a web page for serving the streaming video
6 file, correct?

7 A. Yes.

8 MR. LEE: Simon, I think that's the facility
9 person.

10 Do you mind if we take a quick break off the
11 record?

12 THE VIDEOGRAPHER: Should we switch the disk?

13 MR. FRANZINI: How much time?

14 THE VIDEOGRAPHER: 25 minutes.

15 MR. FRANZINI: Yes.

16 THE VIDEOGRAPHER: This marks the end of disk
17 2 in the deposition of Michael Keehan. The time is
18 1:46 and we are off the record.

19 (Recess taken.)

20 THE VIDEOGRAPHER: This marks the beginning
21 of volume 1 disk 3 in the deposition of Michael
22 Keehan.

23 The time is 1:52 and we are on the record.

24 BY MR. FRANZINI:

25 Q. In your report, you also cite three e-mails

1 from colleagues?

2 A. Correct.

3 Q. Do the three e-mails from your colleagues
4 provide any evidence that the Knowledge Assembly
5 system actually practiced any of the limitations of
6 claim 1 of the '608 patent?

7 A. Let me see. I would need to review them. It
8 would have to be in the form of them commenting on
9 some function.

10 They are certainly responding to the system
11 as it existed at that time, but the e-mails
12 themselves, the transmission of the e-mails wouldn't
13 indicate anything.

14 Q. Do the e-mails provide any information about
15 how the system operated?

16 A. I need to review them.

17 MR. FRANZINI: Can I have this marked as
18 Exhibit 10.

19 (Whereupon, Exhibit 10, e-mail string dated
20 Wednesday, February 24, 1999, Bates stamps GOOG-VS-PA
21 00018481 to 482, was marked for identification.)

22 BY MR. FRANZINI:

23 Q. Showing you a document that was marked as
24 Exhibit 10 --

25 A. Right.

1 Q. -- is this one of the e-mails you cite in
2 your report?

3 A. Yes.

4 Q. Does this e-mail provide any evidence that
5 the Knowledge Assembly system actually practiced any
6 of the limitations of claim 1 of the '608 patent?

7 A. No. Obviously, Ben had used the system and
8 was commenting on it, but it doesn't -- other than
9 that, other than his reflected use, it doesn't
10 indicate the use of the system.

11 Q. It reflects that someone had used the
12 Knowledge Assembly system, but doesn't tell us
13 anything about what that system did?

14 A. Correct.

15 MR. FRANZINI: Have this marked as
16 Exhibit 11, please.

17 (Whereupon, Exhibit 11, e-mail dated
18 Wednesday, February 16, 2000, Bates stamps GOOG-VS-PA
19 00018483, was marked for identification.)

20 BY MR. FRANZINI:

21 Q. Showing you a document I marked as
22 Exhibit 11, has Bates numbers GOOG-VS-PA 00018483.

23 For the record, Exhibit 10 had Bates numbers
24 GOOG-VS-PA 00018481.

25 Does Exhibit 11 provide any evidence that the

1 Knowledge Assembly system actually practiced any
2 limitation of claim 1 of the '608 patent?

3 A. So it -- this reflects that Michael Cooperman
4 has looked at the system and is providing feedback on
5 its function and appearance and the process, but other
6 than reflecting that he's actually used the system to
7 give feedback on it, it doesn't talk specifically
8 about some of the functions.

9 So I don't think it's presented as something
10 that is evidence of the system's functioning in a way
11 relevant to claim 1.

12 Q. So Exhibit 11 provides no evidence that the
13 Knowledge Assembly system actually practiced any
14 limitation of claim 1 of the '608 patent?

15 A. He's talking about how the system worked, but
16 I don't -- how the system that he's using was designed
17 is not -- is not clear from this. It's not intended
18 for that.

19 Q. Right. None of the things he says suggests
20 that the Knowledge Assembly system actually practiced
21 any of the limitations of claim 1 of the '608 patent,
22 right?

23 A. I think that's -- I think that's true.

24 MR. FRANZINI: Have this marked as
25 Exhibit 12, please.

1 (Whereupon, Exhibit 12, e-mail dated
2 Wednesday, December 30, 1998, Bates stamps GOOG-VS-PA
3 00018480, was marked for identification.)

4 BY MR. FRANZINI:

5 Q. Showing you a document that we marked as
6 Exhibit 12, it has Bates number GOOG-VS-PA 00018480,
7 is this one of the e-mails you cite in your report?

8 A. Yes.

9 Q. Does this e-mail provide any evidence that
10 the Knowledge Assembly system practiced any limitation
11 of claim 1 of the '608 patent?

12 A. Well, it -- obviously, she's referring to the
13 system and the clips being played.

14 How it is described in claim 1 is more of a
15 technical description. This is a functional
16 description and so it's -- it has limited value in
17 determining how this was actually accomplished.

18 Q. So this -- withdrawn.

19 Document 12 doesn't provide any evidence that
20 the Knowledge Assembly system actually received a
21 video file via a web page, right?

22 A. She doesn't say that in this document, but
23 obviously, that's what she's responding to, but she
24 doesn't say that she's received it through a web page.

25 Q. Well, this document is consistent with the

1 sender having uploaded the video file via an
2 application program on her computer, right?

3 A. I'm sorry, that she uploaded to Knowledge
4 Assembly with a -- with a client software on her
5 computer; is that what you're asking?

6 Q. Withdrawn.

7 Exhibit 12 gives us no indication of how
8 Knowledge Assembly received the video file from the
9 sender, right?

10 A. That's correct.

11 Q. Exhibit 12 doesn't say anything about whether
12 a video file was converted to streaming video format
13 on the VideoForum server, right?

14 A. That's correct.

15 Q. Didn't say anything about generating an
16 identification tag?

17 A. No.

18 Q. And it doesn't say anything about embedding
19 an identification tag into a web page?

20 A. It does not.

21 Q. This document provides no evidence that the
22 Knowledge Assembly system actually practiced any of
23 the limitations of claim 1 of the '608 patent, right?

24 A. I guess that's correct.

25 Q. So in your report you cite the patent

1 application, some e-mails and snapshots from the
2 WayBack machine, right?

3 A. Right.

4 Q. None of that evidence -- withdrawn.

5 None of those documents provide any evidence
6 that the Knowledge Assembly system actually practiced
7 any of the limitations of claim 1 of the '608 patent,
8 right?

9 A. I have to agree with that logic.

10 Q. So there's absolutely no corroboration for
11 your statements that the Knowledge Assembly system
12 actually practiced any of the limitations of the '608
13 patent, right?

14 A. Not from these documents.

15 Q. Well, those are the only documents you cite,
16 right?

17 A. That's correct.

18 Q. You don't provide any corroboration for your
19 statements that the Knowledge Assembly system actually
20 practiced any of the limitations of claim 1 of the
21 '608 patent, correct?

22 A. Obviously, I have felt that these documents
23 indicated that we had an up and working system and
24 that we were getting feedback on.

25 Clearly that's the case, but I follow your

1 logic in calling the reality of that assertion into
2 question.

3 Q. So the documents indicate that there was a
4 system called the Knowledge Assembly system that was
5 used, right?

6 A. Uh-huh.

7 Q. But it doesn't provide any information or any
8 evidence about how that system actually operated,
9 right?

10 A. Well, I obviously have believed it does.

11 Q. Well, you don't cite any documents in your
12 report that corroborate your statements that the
13 Knowledge Assembly system performs any of the
14 limitations of claim 1 of the '608 patent, correct?

15 A. I think we're getting repetitive here.

16 Q. If you could answer my question.

17 A. So ask it again.

18 Q. The documents that you cite in your report
19 don't provide any corroboration for your statements
20 that the Knowledge Assembly system actually practiced
21 any of the limitations of claim 1 of the '608 patent,
22 correct?

23 MR. LEE: Objection, form.

24 THE WITNESS: Pardon me?

25 MR. LEE: I said objection, form.

1 You can answer.

2 THE WITNESS: Correct.

3 BY MR. FRANZINI:

4 Q. In fact, the only documents we have provide
5 evidence that the Knowledge Assembly system did not
6 perform converting a video file to streaming video
7 format at the server, correct?

8 MR. LEE: Objection, form, mischaracterizes
9 documents.

10 THE WITNESS: Let me see.

11 So let me see -- so repeat the statement.

12 BY MR. FRANZINI:

13 Q. The only evidence that we have actually
14 suggests that the Knowledge Assembly system performed
15 converting the video file into streaming video format
16 at the computer of the knowledge provider, not the
17 Knowledge Assembly server, right?

18 MR. LEE: Same objection.

19 THE WITNESS: I still feel that the patent
20 application, the way that the process is described is
21 clearly a server encoding system and that's how we
22 initially implemented it and -- and later, we -- so
23 it's not as though there's no evidence. The evidence
24 is that it's what was described in our patent.

25 BY MR. FRANZINI:

1 Q. Well, the patent application on -- withdrawn.

2 We established before that the patent
3 application doesn't actually show that your system did
4 anything, it's just a description of ideas, right?

5 MR. LEE: Objection, mischaracterizes
6 testimony.

7 THE WITNESS: However, that's true as far as
8 it goes, but there was a working system at that time
9 performing just as described in the patent.

10 BY MR. FRANZINI:

11 Q. Well, the patent describes multiple
12 embodiments, right?

13 A. That's correct.

14 Q. We established before that your actual system
15 didn't perform each one of the embodiments, right?

16 A. Not all of them, but yes, it was a working --
17 a working model of the system in one embodiment.

18 Q. Just because something is in your patent
19 application, that doesn't provide any evidence that
20 the actual system worked that way, right?

21 MR. LEE: Objection, form.

22 THE WITNESS: That's correct.

23 BY MR. FRANZINI:

24 Q. So the documents you cite in your report
25 don't provide any corroboration for -- withdrawn.

1 The documents in your report don't provide
2 any corroboration for your statement that the
3 Knowledge Assembly system meets any of the limitations
4 of claim 1 of the '608 patent, correct?

5 MR. LEE: Objection, form, asked and
6 answered.

7 THE WITNESS: I have to say that's correct.

8 BY MR. FRANZINI:

9 Q. And the only evidence we have is the
10 snapshots from the web page, right?

11 MR. LEE: Objection, form, mischaracterizes
12 documents.

13 THE WITNESS: The only -- give me that again.

14 BY MR. FRANZINI:

15 Q. Withdrawn.

16 The only evidence about how the system
17 actually worked is the snapshots from the WayBack
18 machine that Google produced in this case, right?

19 MR. LEE: Same objection.

20 THE WITNESS: Given -- given the definition
21 of proof here, yes, I think that's true.

22 BY MR. FRANZINI:

23 Q. The only evidence of how that system worked,
24 right?

25 A. That's correct.

1 Q. And what --

2 A. It's more descriptive than proving.

3 Q. And you testified before you believe the
4 description to be accurate, right?

5 MR. LEE: Objection, mischaracterizes
6 testimony.

7 THE WITNESS: Yes, I think that the
8 description is accurate as far as it went.

9 I also said it was a marketing description
10 and so didn't get into the technical details.

11 BY MR. FRANZINI:

12 Q. So all of the evidence we have is --
13 withdrawn.

14 The only evidence we have suggests that the
15 converting from a video file to a streaming video file
16 took place on the computer of the knowledge provider,
17 not the Knowledge Assembly server, correct?

18 MR. LEE: Objection, form, misstates the
19 evidence.

20 THE WITNESS: I still accept the existence of
21 the scripts as an indication of what went on in the
22 server.

23 BY MR. FRANZINI:

24 Q. We discussed before we don't have any
25 evidence that the scripts were actually used, though,

1 right?

2 MR. LEE: Objection, mischaracterizes
3 testimony.

4 THE WITNESS: I hear what you're saying, but
5 I know they were and that's the truth from me, but
6 it's not proof to you.

7 BY MR. FRANZINI:

8 Q. We don't have any evidence that the scripts
9 were actually used except for your testimony, right?

10 A. That's correct.

11 Q. So the only evidence we have is the
12 description that you authored on the Knowledge
13 Assembly product description web page, right?

14 MR. LEE: Objection, mischaracterizes the
15 evidence.

16 THE WITNESS: That's -- is that the only
17 evidence that we have? No, I don't think that's the
18 only evidence we have.

19 BY MR. FRANZINI:

20 Q. In your report you cite the patent
21 applications, you cite snapshots of the Knowledge
22 Assembly web site and you cite three e-mails, right?

23 A. Right.

24 Q. And that's the only evidence you cite,
25 correct?

1 A. Yes.

2 Q. The patent application doesn't show that --
3 withdrawn.

4 The patent application doesn't tell us
5 anything about how the Knowledge Assembly system
6 actually worked, correct?

7 A. I understand the logic that you're using
8 here, but clearly the patent application included the
9 scripts.

10 If you don't accept that, clearly you're not,
11 then there isn't -- there isn't proof.

12 Q. Well, sir, you can't show me any proof those
13 scripts actually were used, right?

14 A. I can't.

15 Q. The only evidence -- withdrawn.

16 We established before that the e-mails don't
17 provide any evidence about the operation of the
18 Knowledge Assembly system, right?

19 MR. LEE: Objection, mischaracterizes
20 testimony.

21 THE WITNESS: That's correct.

22 BY MR. FRANZINI:

23 Q. And the -- the only evidence we have about
24 how the system actually worked is the snapshots from
25 the WayBack machine, right?

1 MR. LEE: Objection, mischaracterizes the
2 evidence.

3 THE WITNESS: Yes, given your logic, it's --
4 that's correct.

5 BY MR. FRANZINI:

6 Q. And that evidence suggests that the
7 converting from a video file to streaming video format
8 was done at the computer of the knowledge provider,
9 not the Knowledge Assembly server, correct?

10 MR. LEE: Objection, mischaracterizes the
11 evidence.

12 THE WITNESS: That -- this description
13 describes it that way, yes.

14 BY MR. FRANZINI:

15 Q. And --

16 A. But there were other embodiments of the
17 application.

18 Q. None that were produced in this case, though,
19 right?

20 A. None that are produced other than I believe
21 in the scripts.

22 Q. Which we don't have evidence were actually
23 used, right?

24 A. Correct.

25 Q. Okay. So on top of that -- withdrawn.

1 In addition, you don't have any independent
2 recollection about whether -- withdrawn.

3 As you testified before, you don't have any
4 independent recollection about whether the conversion
5 took place at the computer of the knowledge provider
6 or the computer of the Knowledge Assembly server,
7 correct?

8 MR. LEE: Objection, form, mischaracterizes
9 testimony.

10 THE WITNESS: We had it in both -- operating
11 in both modes at different times.

12 BY MR. FRANZINI:

13 Q. You don't recall whether the -- -- withdrawn.

14 You don't recall whether the beta of the
15 Knowledge Assembly system that was tested by your
16 colleagues performed conversion at the server or at
17 the computer of the user, correct?

18 A. I can't recall where the encoding was done.

19 Q. In addition --

20 A. For the beta.

21 Q. In addition, you can't recall whether for the
22 beta the uploading was done via a web page or whether
23 it was done via the KnowledgeCapture software on the
24 knowledge provider's computer, correct?

25 A. For the beta, that's correct.

1 Q. When you were talking about the embodiment of
2 the Knowledge Assembly system that performed each of
3 the limitations of the '608 patent, you were talking
4 about the beta, right?

5 A. I was talking primarily about the description
6 in the patent application.

7 Q. Well, at the beginning of the day, we went
8 through that and you testified that it was your
9 opinion that the actual embodiment of the Knowledge
10 Assembly system practiced each of the limitations of
11 claim 1.

12 Do you remember that?

13 A. Yes.

14 MR. LEE: Objection, form, mischaracterizes
15 testimony.

16 BY MR. FRANZINI:

17 Q. That testimony was based on the beta, right?

18 A. No.

19 Q. That testimony was based on the embodiment of
20 the Knowledge Assembly system that existed as of
21 October 5th of 1998, correct?

22 A. The -- the patent application existed before,
23 it was done months before the beta.

24 Q. Well, earlier today you testified you wanted
25 to develop a working system before you filed your

1 patent application, right?

2 A. Correct.

3 Q. So is that inconsistent with what you just
4 said now?

5 A. No, but I know that when we submitted the
6 patent application, it took some time for it to get
7 filed.

8 Q. By December 30th of 1998, there was a beta,
9 right?

10 A. Or close to.

11 Q. You started getting feedback at least as
12 early as December 30 of 1998?

13 A. Yes.

14 Q. And when you were talking about the
15 embodiment of the Knowledge Assembly system in your
16 report, you were talking about the one that existed as
17 of October 5th of 1998?

18 A. I think that the embodiment that's described
19 in the application is a description -- represents a
20 description that existed early on and we created the
21 initial system with that -- with that -- with those
22 scripts.

23 Q. The patent application describes many
24 different embodiments, right?

25 A. It does.

1 Q. And only some of them were actually used in
2 the Knowledge Assembly server, right?

3 A. That's correct.

4 Q. Just because something is included in the
5 patent application doesn't mean that the Knowledge
6 Assembly system performed that step, right?

7 A. That's correct, but there are certain
8 functions without which you wouldn't have our system
9 working, one of which is transmitting to a server and
10 having identification tags and transmitting to an
11 affinity group and so forth.

12 Q. You don't -- withdrawn.

13 In order for the Knowledge Assembly system to
14 work it's not necessary to receive a video file via a
15 web page, right?

16 A. For the server to receive via a web page?

17 Q. Correct.

18 A. No.

19 Q. For the Knowledge Assembly system to work,
20 it's not necessary for a video file to be converted to
21 streaming video format at the server, right?

22 A. That's correct.

23 Q. When you testified this morning that the
24 Knowledge Assembly system performed each of the
25 limitations of claim 1 of the '608 patent, because

1 each of those limitations were necessary for the
2 Knowledge Assembly system to work, that testimony was
3 false, right?

4 MR. LEE: Objection, argumentative.

5 THE WITNESS: To repeat that.

6 BY MR. FRANZINI:

7 Q. When you testified this morning that you knew
8 that the Knowledge Assembly system performed each of
9 the limitations of claim 1 of the '608 patent because
10 each of those limitations were necessary for the
11 Knowledge Assembly system to work, that testimony was
12 false, right?

13 MR. LEE: Objection, mischaracterizes
14 testimony, argumentative.

15 THE WITNESS: I wasn't giving false
16 testimony.

17 Let me ask you to repeat it.

18 BY MR. FRANZINI:

19 Q. This morning you stated that in your opinion
20 claim 1 -- withdrawn.

21 This morning you stated that in your opinion
22 the Knowledge Assembly system actually performed each
23 of the limitations of claim 1 of the '608 patent
24 except thumbnails because each of those limitations
25 were necessary for the Knowledge Assembly system to

1 work.

2 Do you recall giving that testimony?

3 A. I do.

4 MR. LEE: Same objection.

5 BY MR. FRANZINI:

6 Q. When you gave that testimony, the statements
7 you made were not true, correct?

8 MR. LEE: Same objection.

9 THE WITNESS: Let me just review the -- I
10 have felt that that was true.

11 So you interpret this to mean that the
12 encoding needs to occur on the receiving -- the
13 server; is that your contention?

14 BY MR. FRANZINI:

15 Q. Well, sir, we talked about this this morning,
16 we went through the claim and we talked about how the
17 claim says executing by the receiving computer and you
18 told me this morning that the receiving computer was
19 the server side component.

20 Do you recall that?

21 A. Right, as described in the patent
22 application, correct, as originally conceived.

23 Q. Sir, this morning, we went through claim 1 of
24 the '608 patent.

25 You testified that the step of converting the

1 video file in a streaming video format -- withdrawn.

2 You testified that converting the video file
3 into a streaming video file comprising a streaming
4 video format had to take place at the receiving
5 computer which is the server, correct?

6 MR. LEE: Objection, form, mischaracterizes
7 testimony.

8 THE WITNESS: Yeah, I don't think I said
9 that.

10 BY MR. FRANZINI:

11 Q. Well, sir --

12 A. Well, I'm a little unclear on what we went
13 through, but the issue of converting -- first of all,
14 I'm not sure that this says that the conversion needs
15 to occur on the computer server -- I'm sorry, yes, the
16 encoding needs to occur on the server.

17 Does it say that?

18 Q. Well, the claim says "executing, by the
19 receiving computer server, in response to receiving
20 the video file, an automated function automatically
21 performing each of," and lists three limitations,
22 (b1), (b2) and (b3).

23 Do you see that?

24 A. Yes.

25 Q. This morning you agreed with me that means

1 that the executing of the automated function needs to
2 be done by the receiving computer server, correct?

3 MR. LEE: Objection, mischaracterizes
4 testimony.

5 THE WITNESS: Yes, well, clearly, I felt that
6 in one embodiment or another of what was described of
7 the Knowledge Assembly system in our application would
8 require the employment of each of these functions.

9 BY MR. FRANZINI:

10 Q. Sir, do you remember this morning when I
11 asked you if it was your testimony that the actual
12 embodiment of the Knowledge Assembly server practiced
13 each limitation of claim 1 except for the thumbnails?

14 A. Yes.

15 Q. And you said that your opinion was that it
16 did practice each limitation, correct?

17 A. Right.

18 MR. LEE: Objection, mischaracterizes
19 testimony.

20 BY MR. FRANZINI:

21 Q. You also said the reason for that was that
22 each limitation of claim 1 of the '608 patent was
23 necessary for the knowledge provider system to work.

24 Do you recall that?

25 MR. LEE: Same objection.

1 THE WITNESS: I do.

2 BY MR. FRANZINI:

3 Q. That testimony was false, right?

4 MR. LEE: Objection, argumentative.

5 THE WITNESS: You have pointed out ways in
6 which those functions are not essential for the -- for
7 Knowledge Assembly system.

8 BY MR. FRANZINI:

9 Q. Sir, when you testified this morning that the
10 Knowledge Assembly system embodiment actually
11 practiced each limitation of claim 1 of the '608
12 patent except for thumbnails because each limitation
13 was necessary to practice in the system, that
14 testimony was false, correct?

15 MR. LEE: Objection, mischaracterizes
16 testimony, argumentative.

17 THE WITNESS: I think that it was -- it was
18 incorrect as you've pointed out in -- in some way or
19 another.

20 BY MR. FRANZINI:

21 Q. Well, the statements you made this morning
22 were false, right?

23 MR. LEE: Objection, argumentative.

24 THE WITNESS: I think that false implies that
25 I was intending to mislead.

1 BY MR. FRANZINI:

2 Q. The statements were not true, were they?

3 A. They were incorrect from the standpoint --
4 from the perspective that you have promoted.

5 Q. Well, you made the statements, right?

6 A. I believed the statements at the time. You
7 have pointed out some inconsistencies that have caused
8 me to consider otherwise.

9 Q. Now you know them to be false, right?

10 MR. LEE: Objection, argumentative.

11 Also asked and answered.

12 THE WITNESS: I responded to this question
13 several times.

14 BY MR. FRANZINI:

15 Q. I'd still like an answer.

16 A. I've given you answers.

17 Q. Sir, when you testified this morning that the
18 Knowledge Assembly system embodiment actually
19 practiced each limitation of claim 1 of the '608
20 patent except for thumbnails, the statements you made
21 were not true, correct?

22 MR. LEE: Objection, mischaracterizes
23 testimony, argumentative, asked and answered multiple
24 times.

25 BY MR. FRANZINI:

1 Q. Are you refusing to answer my question, sir?

2 A. I feel I've already answered your question.

3 Q. Sir, when you --

4 A. I don't want to answer your question again
5 with the same answer.

6 Q. Sir, when you testified this morning that the
7 Knowledge Assembly system embodiment actually
8 practiced each limitation of claim 1 of the '608
9 patent except thumbnails, that testimony was false,
10 correct?

11 MR. LEE: Objection, mischaracterizes
12 testimony, argumentative.

13 Counsel, he has answered this question
14 multiple times already today.

15 Can we just move on?

16 MR. FRANZINI: Keep your commentary off the
17 record.

18 THE WITNESS: Go over it again.

19 BY MR. FRANZINI:

20 Q. When you --

21 A. No, I mean let's look at claim 1.

22 Q. No, sir, I'm talking about your testimony
23 this morning.

24 When you testified this morning that the
25 Knowledge Assembly system practiced each limitation of

1 claim 1 of the '608 patent except thumbnails, that
2 testimony was false, correct?

3 MR. LEE: Objection, mischaracterizes
4 testimony, argumentative, asked and answered again and
5 again.

6 THE WITNESS: Why don't you go ahead and
7 explain to me why -- we will go through claim 1 again
8 and you can explain to me where it's -- where the
9 Knowledge Assembly system has failed to meet the --
10 to, what is it, cover the claim 1.

11 BY MR. FRANZINI:

12 Q. Just five minutes ago, sir, do you remember
13 testifying that the Knowledge Assembly server --
14 withdrawn.

15 This morning you testified that the Knowledge
16 Assembly system practiced each limitation of claim 1
17 of the '608 patent except thumbnails because doing so
18 was necessary for the Knowledge Assembly system to
19 work.

20 Do you remember testifying to that five
21 minutes ago?

22 MR. LEE: Objection, mischaracterizes
23 testimony, argumentative.

24 BY MR. FRANZINI:

25 Q. Do you remember saying that, sir?

1 A. I felt and feel that the embodiment of the --
2 an embodiment of the Knowledge Assembly system as
3 described in the application, the preliminary
4 application, requires the uploading of a video file to
5 a server, conversion of that video file into a
6 streaming format, the attaching of certain identifying
7 information and streaming that -- those video files
8 according to a certain algorithm and that is -- that
9 is why I felt that the Knowledge Assembly system
10 embodied or, what is the term -- the term covered the
11 claim that comes to me, I'm not sure, but -- so that's
12 the basis for my saying that it covered claim 1.

13 Q. Sir, are you trying to evade my questions?

14 MR. LEE: Objection, argumentative.

15 THE WITNESS: I'm trying to avoid
16 mischaracterization of what I said this morning.

17 BY MR. FRANZINI:

18 Q. This morning, I drew a distinction between
19 the Knowledge Assembly system embodiment and the
20 patent application and I asked you questions about the
21 Knowledge Assembly embodiment, not the patent
22 application.

23 And the court reporter was writing down
24 everything you said at the time.

25 Do you recall that conversation we had this

1 morning?

2 MR. LEE: Objection, argumentative.

3 BY MR. FRANZINI:

4 Q. I need an answer to my question.

5 A. Repeat the question.

6 Q. Do you recall this morning when you testified
7 that the Knowledge Assembly system embodiment, not the
8 patent application, performed each limitation of claim
9 1 of the '608 patent except thumbnails and that doing
10 so was necessary for the Knowledge Assembly system to
11 work, do you remember saying that?

12 MR. LEE: Objection, form, mischaracterizes
13 testimony, asked and answered.

14 BY MR. FRANZINI:

15 Q. Are you going to answer my question, sir?

16 A. Ask it again.

17 Q. Do you remember this morning you testified
18 that the Knowledge Assembly system embodiment, not the
19 patent application, the system embodiment performed
20 each limitation of claim 1 of the '608 patent except
21 for thumbnails and that doing so was necessary for the
22 Knowledge Assembly system to work, do you remember
23 saying that?

24 MR. LEE: Objection, form, mischaracterizes
25 testimony, asked and answered.

1 BY MR. FRANZINI:

2 Q. Sir, I'm not asking about the patent.
3 I'm asking about what you said this morning.
4 Are you going to answer my question?

5 A. I think I already have.

6 Q. This morning, you testified that the
7 Knowledge Assembly system, the embodiment, not the
8 patent application, performed each limitation of claim
9 1 of the '608 patent except thumbnails and that doing
10 so was necessary for the system to work, correct?

11 MR. LEE: Objection, form, mischaracterizes
12 testimony, asked and answered.

13 BY MR. FRANZINI:

14 Q. I need an answer to my question.
15 I'm going to get more time on the record if
16 you keep wasting my time by taking long pauses.
17 Withdrawn.

18 Sir, this morning, you testified that the
19 Knowledge Assembly system, the embodiment, not the
20 patent application, performed each limitation of claim
21 1 of the '608 patent except thumbnails and that doing
22 so was necessary for the Knowledge Assembly system to
23 work, correct?

24 MR. LEE: Objection, form, mischaracterizes
25 testimony, asked and answered.

1 THE WITNESS: Yes, I remember saying that.

2 BY MR. FRANZINI:

3 Q. And that statement was a false statement,
4 right?

5 MR. LEE: Objection, argumentative,
6 mischaracterizes testimony.

7 THE WITNESS: And I have responded to that
8 question before.

9 BY MR. FRANZINI:

10 Q. Still need an answer.

11 A. I feel like I've answered it.

12 Q. Still need an answer.

13 Sir, the statements you made were false,
14 right?

15 MR. LEE: Objection, argumentative,
16 mischaracterizes testimony.

17 THE WITNESS: I don't feel as though I gave
18 false testimony.

19 BY MR. FRANZINI:

20 Q. But the statements you made were untrue,
21 right?

22 A. Incorrect.

23 Q. Untrue?

24 A. From this -- from a perspective.

25 Q. They were untrue, right?

1 A. Pardon me?

2 Q. They were untrue, right?

3 MR. LEE: Objection, argumentative.

4 THE WITNESS: I don't know that I need to
5 respond to the way that you're casting it.

6 BY MR. FRANZINI:

7 Q. I'm entitled to an answer to my question.

8 The statements you made this morning were
9 untrue, correct?

10 MR. LEE: Objection, mischaracterizes
11 testimony, argumentative, asked and answered and if
12 the witness wants to stand by his answer, he can.

13 MR. FRANZINI: But you can't make comments on
14 the record anymore.

15 BY MR. FRANZINI:

16 Q. Sir, the statements you made this morning
17 were untrue, right?

18 MR. LEE: Objection, mischaracterizes
19 testimony, argumentative, asked and answered.

20 THE WITNESS: Okay. So let's go back.
21 What's untrue about it?

22 BY MR. FRANZINI:

23 Q. Sir, you just testified they were incorrect,
24 right?

25 MR. LEE: Objection, mischaracterizes

1 testimony.

2 THE WITNESS: Let's go back over the whole
3 thing.

4 BY MR. FRANZINI:

5 Q. Let's not.

6 A. Then, I'm not --

7 Q. Sir, you just testified that the statements
8 you made this morning were incorrect.

9 What did you mean by that?

10 MR. LEE: Objection, mischaracterizes
11 testimony, argumentative.

12 THE WITNESS: In the context that you've --
13 that you've created, it -- it recasts one's thinking,
14 certainly my thinking about claim 1.

15 BY MR. FRANZINI:

16 Q. In the context of the truth, the Knowledge
17 Assembly system does not have to provide -- to perform
18 each limitation of claim 1 of the '608 patent in order
19 to work, right?

20 MR. LEE: Objection, form, argumentative.

21 THE WITNESS: Let's go over it again.

22 I mean explain to me the ways in which
23 it's -- it does not -- these functions are not
24 required by Knowledge Assembly.

25 BY MR. FRANZINI:

1 Q. Sir, are you trying to evade my question and
2 waste my time?

3 A. No, I feel as though I answered your
4 question.

5 Q. So you just testified five minutes ago that
6 this morning you said that the embodiment of Knowledge
7 Assembly system performed each limitation of claim 1
8 except thumbnails and that you knew that to be true
9 because it was necessary for the Knowledge Assembly
10 system to work?

11 MR. LEE: Objection, form, mischaracterizes
12 testimony, argumentative, asked and answered.

13 BY MR. FRANZINI:

14 Q. You also testified that that's not the case,
15 right?

16 MR. LEE: Same objection.

17 THE WITNESS: There are ways to -- I'm not
18 sure how to phrase this, but it feels to me that there
19 are ways in which you could have an embodiment of the
20 Knowledge Assembly software that does not respond to
21 all of the limitations in claim 1.

22 BY MR. FRANZINI:

23 Q. In fact, you yourself created an embodiment
24 of the Knowledge Assembly system that did not perform
25 the limitations of claim 1, right?

1 MR. LEE: Objection, form.

2 THE WITNESS: Let me see.

3 So which embodiment and what elements of the
4 claim?

5 BY MR. FRANZINI:

6 Q. Sir, it's possible for the Knowledge Assembly
7 system to work by receiving a video file via the
8 KnowledgeCapture software on the knowledge provider's
9 device, not via a web page, right?

10 A. It's possible to receive a video file from
11 the KnowledgeCapture in the embodiment of the
12 KnowledgeCapture software, not from a web page but
13 from that computer.

14 So --

15 Q. It's also possible for the Knowledge Assembly
16 system to work in an embodiment where the converting
17 of a video file into streaming video format took place
18 on the computer of the knowledge provider, not the
19 Knowledge Assembly server, correct?

20 MR. LEE: Objection, form, calls for
21 speculation.

22 THE WITNESS: That's correct.

23 BY MR. FRANZINI:

24 Q. So when you testified that performing each of
25 the limitations of claim 1 of the '608 patent except

1 thumbnails was necessary for the knowledge provider
2 system to work -- withdrawn.

3 When you testified that performing each
4 limitation of claim 1 of the '608 patent except
5 thumbnails was necessary for the Knowledge Assembly
6 system to work, that testimony was false, right?

7 MR. LEE: Objection, form, mischaracterizes
8 testimony, argumentative, asked and answered.

9 THE WITNESS: Yeah, so I didn't feel as
10 though -- well, I think that that testimony was
11 inaccurate, that there are embodiments that would not
12 require all the elements of claim 1.

13 My -- so I believed at the time it was the
14 case that we had covered by our description of the
15 application since we had uncovered -- we had covered
16 and described embodiments that required all of this,
17 that I could respond yes to that statement, yes, that
18 it required all the items in claim 1.

19 BY MR. FRANZINI:

20 Q. But, in fact, it didn't require all of the
21 limitations of claim 1, right?

22 MR. LEE: Objection, argumentative.

23 THE WITNESS: There is an embodiment of the
24 Knowledge Assembly system that does not require all of
25 the -- all of claim 1.

1 BY MR. FRANZINI:

2 Q. When you said that you knew the Knowledge
3 Assembly system performed each limitation of claim 1
4 except thumbnails because each limitation was
5 necessary for the Knowledge Assembly system to work,
6 that statement was not true, right?

7 MR. LEE: Objection, form, mischaracterizes
8 testimony, argumentative and asked and answered.

9 THE WITNESS: It was not accurate to the
10 extent that there are embodiments of the Knowledge
11 Assembly system that do not require all of the items
12 in claim 1.

13 BY MR. FRANZINI:

14 Q. Now, you understand that in evaluating an
15 expert's testimony the jury has to consider that
16 expert's credibility?

17 MR. LEE: Objection, form, calls for a legal
18 conclusion.

19 THE WITNESS: That makes sense to me.

20 BY MR. FRANZINI:

21 Q. In looking -- withdrawn.

22 It would be reasonable for the jury to
23 conclude they shouldn't listen to anything you say
24 given you gave false testimony this morning, right?

25 MR. LEE: Objection, calls for speculation,

1 calls for a legal conclusion, argumentative.

2 THE WITNESS: I am not -- I'm not saying I
3 gave false testimony this morning.

4 I think -- is false testimony not a legal
5 definition?

6 BY MR. FRANZINI:

7 Q. No, it just means false testimony. You
8 understand what false testimony is?

9 A. I don't.

10 Q. Did you make a statement that wasn't true on
11 the record today?

12 A. I was mistaken in my -- in my understanding
13 of the implications of claim 1 and we weren't really
14 dealing with the Knowledge Producer software.

15 Q. We were expressly dealing with the Knowledge
16 Assembly embodiment and not the patent application,
17 right?

18 A. As the embodiment of -- from the patent
19 application.

20 Q. No, sir, we were talking about the exact
21 opposite, right? That's what the record is going to
22 show.

23 A. I'm not following you.

24 Q. Sir, you think it would be reasonable for the
25 jury to conclude since you were wrong about some of

1 your sworn testimony earlier today you might be wrong
2 about other things you say as well?

3 MR. LEE: Objection, calls for speculation,
4 calls for a legal conclusion, argumentative.

5 THE WITNESS: I can't say.

6 BY MR. FRANZINI:

7 Q. Well, it certainly would be reasonable if the
8 jury finds out you were wrong about the sworn
9 testimony you gave this morning you might be wrong
10 about other testimony you give at trial, too, right?

11 MR. LEE: Objection, calls for speculation,
12 calls for a legal conclusion, argumentative.

13 THE WITNESS: Now, so when there's an
14 objection to the question, I still have to answer it?

15 BY MR. FRANZINI:

16 Q. Yes. Sir, would it be reasonable for the
17 jury to conclude that because you gave sworn testimony
18 this morning that turned out to be incorrect that your
19 testimony on other topics might be incorrect as well?

20 MR. LEE: Objection, mischaracterizes
21 testimony, calls for speculation, calls for a legal
22 conclusion, argumentative.

23 THE WITNESS: Being inaccurate on one element
24 of the testimony would call into -- tend to call into
25 question other elements of testimony.

1 BY MR. FRANZINI:

2 Q. It would be reasonable for the jury to decide
3 that they should completely disregard your testimony,
4 right?

5 MR. LEE: Objection, calls for speculation,
6 calls for a legal conclusion, argumentative.

7 THE WITNESS: I don't think so.

8 BY MR. FRANZINI:

9 Q. Certainly reasonable, right?

10 MR. LEE: Same objection.

11 THE WITNESS: I don't think so.

12 BY MR. FRANZINI:

13 Q. Well, they -- withdrawn.

14 MR. LEE: Simon, I think we've been going for
15 over an hour now.

16 BY MR. FRANZINI:

17 Q. Well, it would be reasonable for the jury to
18 think if a witness gave incorrect testimony in one
19 instance, he might give incorrect testimony again,
20 right?

21 MR. LEE: Objection, calls for speculation,
22 calls for a legal conclusion, argumentative.

23 THE WITNESS: I've said that I thought that
24 was -- that was the case, that being inaccurate in one
25 case would call into question other testimony.

1 BY MR. FRANZINI:

2 Q. So it would be reasonable for the jury to
3 call into question your testimony at trial, right?

4 MR. LEE: Objection, calls for speculation,
5 calls for a legal conclusion, argumentative.

6 THE WITNESS: I don't think so.

7 BY MR. FRANZINI:

8 Q. Well, if the jury is thinking about which
9 expert should I believe, one expert always gives
10 accurate testimony, the other one sometimes gives
11 inaccurate testimony, it would be reasonable for the
12 jury to believe the expert that always gives accurate
13 testimony, right?

14 MR. LEE: Objection, calls for speculation,
15 calls for a legal conclusion, argumentative.

16 THE WITNESS: I don't feel as though what
17 you've done here today so far is -- undermines my
18 testimony or should undermine my testimony before the
19 jury.

20 BY MR. FRANZINI:

21 Q. Sir, it would be reasonable for the jury to
22 conclude that an expert who always gives accurate
23 testimony is more believable than one who sometimes
24 gives inaccurate testimony, right?

25 MR. LEE: Objection, calls for speculation,

1 calls for a legal conclusion, argumentative, asked and
2 answered.

3 THE WITNESS: That's reasonable in general,
4 yes.

5 MR. FRANZINI: Take a break.

6 THE VIDEOGRAPHER: Time is 3:01 and we are
7 off the record.

8 (Recess taken.)

9 THE VIDEOGRAPHER: The time is 3:11 and we
10 are on the record.

11 BY MR. FRANZINI:

12 Q. Sir, please turn to paragraph 25 of your
13 report.

14 Paragraphs 25 through 29 are the paragraphs
15 that talk about generating an identification tag
16 representing the subject matter of the streaming video
17 and identifying the streaming video and embedding the
18 tag in a web page?

19 A. Right.

20 Q. Now, please take out Exhibit 5, the '608
21 patent, and please turn to claim 1.

22 So the limitations you're talking about in
23 paragraphs 25 to 29 of your report are subelements
24 (b2) and (b3)?

25 A. Yes.

1 Q. See how above the patent says executing by
2 the receiving computer in response to receiving the
3 video file and automated function automatically
4 performing each of and lists elements (b1), (b2),
5 (b3)?

6 A. Right.

7 Q. The '608 patent requires the receiving
8 computer, the server side computer, executes an
9 automated function automatically performing each of
10 elements (b1), (b2), (b3)?

11 A. Right.

12 Q. In your opinion, the Knowledge Assembly
13 patent application disclosed the receiving computer
14 automatically generating an identification tag
15 representing the subject matter of the streaming
16 video?

17 A. Right.

18 Q. What are you identifying as the
19 identification tag?

20 A. Well, if you look at my report and 29 on page
21 14, the clips are identified and the information
22 that's provided for each clip is -- difficult to read,
23 but it -- the information there is the identifying
24 information for a particular clip, who it's from and
25 what the general subject matter is and the time.

1 Q. So in your opinion, the identification tag
2 cited in claim 1 of the '608 patent is met by the
3 information shown in paragraph 29 such as the name,
4 the video title, subject and the date?

5 A. No, I think that in the script are other
6 identifiers identifying the clip itself to the system.

7 Q. Where in your report are you providing that
8 opinion?

9 A. Which opinion, that it's --

10 Q. You just said in your opinion the
11 identification tag is an identifier in the script?

12 A. I believe that certainly a discrete
13 identification is what's achieved by associating the
14 name and the time and the subject with a particular
15 clip, but then, in addition to that, my understanding
16 is that there are ways of identifying a particular
17 clip in the script for the purpose of moving it about
18 and performing certain functions on it on an automatic
19 basis.

20 Q. Claim 1 of the '608 patent recites an
21 identification tag -- withdrawn.

22 Claim 1 of the '608 patent recites --
23 withdrawn.

24 Claim 1 of the '608 patent recites an
25 identification tag, right?

1 A. Yes, generating an identification tag.

2 Q. Okay. Now, in your opinion, an
3 identification tag is disclosed in the -- in your
4 patent application?

5 A. I believe it is.

6 Q. What exactly in your patent application are
7 you saying meets the requirements of an identification
8 tag?

9 A. Well, I'm reading -- just read paragraph 5
10 which introduces the subject and then paragraph 26.

11 So in the patent application, let's see -- so
12 this is from the patent application, in 26, the quote,
13 "The resulting ASF file is placed in a directory
14 appropriate to the asynchronous video form. A file
15 called DATA.TXT in that same directory is updated with
16 the original information from the original form," and
17 so forth.

18 So that's from the script.

19 Q. Let's take a step back.

20 Is it your opinion that the Knowledge
21 Assembly patent application discloses each limitation
22 of claim 1 of the '608 patent except for thumbnails?

23 A. It discloses it in one embodiment or another.

24 Q. So in the -- withdrawn.

25 Is it your testimony that in the -- in your

1 patent application, there is a disclosure of each
2 limitation of claim 1 of the '608 patent except for an
3 identification tag that includes a video frame image
4 representing the subject matter of the video file?

5 A. I'm sorry, I'm confused. You need to repeat
6 that.

7 Q. Well, you acknowledge that your patent
8 application does not include -- withdrawn.

9 You acknowledge that your patent application
10 does not disclose generating an identification tag
11 comprising a video frame image representing a subject
12 matter of the video file, correct?

13 A. Right.

14 MR. LEE: Objection, mischaracterizes
15 testimony.

16 BY MR. FRANZINI:

17 Q. The -- but you do think that your patent
18 application -- withdrawn.

19 It is your opinion that the patent
20 application discloses generating an identification tag
21 representing the subject matter of the video file,
22 right?

23 A. Right.

24 Q. And it's also your opinion that the patent
25 application discloses each one of the other elements

1 of claim 1, right?

2 A. This is what we've been discussing, yes, the
3 patent application, one embodiment or another, covers
4 all of the elements in claim 1.

5 Q. In your opinion, there's a disclosure in the
6 patent application of an identification tag
7 representing a subject matter of the streaming video
8 file and identifying the streaming video file?

9 A. Correct.

10 Q. Please tell me what it is in the patent
11 application that you believe meets that requirement.

12 A. Let me see.

13 The -- in the '042 application, page 14, 17
14 through 21.

15 Q. So what within that paragraph cited in your
16 report is the identification tag, in your opinion?

17 A. The tag I see as a name for the -- a
18 collective name for the information regarding the
19 particular video, identifying the particular video.

20 Q. What information is that?

21 A. I think that it includes, as I was saying
22 before, the information that goes to describing the
23 clip to the end user.

24 Q. Okay. Anything else?

25 A. Let me see. There is, I believe, also a

1 technical component which identifies the clip for the
2 purpose of moving about the system and performing
3 operations on it.

4 Q. Is that described in this paragraph you cite?

5 A. Not in that paragraph.

6 Q. Okay. Does it describe it anywhere else in
7 your report?

8 A. Let me just take a look here. The getvid
9 application.

10 Q. Is it your testimony that the getvid
11 application meets the requirements of an
12 identification tag?

13 A. I'm seeing the identification tag as
14 including the information regarding the -- as any
15 descriptor of an individual video clip and that would
16 include information about who the user is that's
17 created it and the time and the subject matter, right.

18 Q. I want to understand what exactly it is that
19 you think is the identification tag and what you've
20 said is the information about the user name, video
21 title, subject and date?

22 A. Right.

23 Q. Is there anything else?

24 A. I believe that it's given a unique tag or
25 descriptor for purposes of it moving about the system.

1 Let me review getvid.

2 Can I review this?

3 Q. Where are you looking?

4 A. 23, the getvid, description of the getvid.pl.

5 Q. That's talking about automatically converting
6 or not -- receiving a video file, right?

7 A. That's correct. I think it's at that point
8 the unique descriptor is given.

9 Q. Okay.

10 A. So it determines the local name it will use
11 for the file as a character stream -- string, which is
12 the current date and time and a hex string, produces
13 an HTML form with the asynchronous video form
14 identifier and file identifier, has hidden inputs and
15 fields for the user, the provider's name, the title
16 and other identifying information on the video clip
17 and the name of the file on the sender's system to be
18 transferred.

19 Q. In your opinion, the hex string described in
20 paragraph 23 of your report meets the requirements of
21 an identification tag?

22 A. I think that the description information --
23 let's see.

24 Well, let's see, it appears that this is --
25 the getvid.pl is a script for use on the web site.

1 Q. Sir, is the hex string you identify in
2 paragraph 23 of your report what you say is the
3 identification tag in the patent application?

4 A. It's part of the unique information that's
5 associated with the -- with the particular video.

6 Q. Is it part of the identification tag?

7 A. Yes.

8 Q. Is there anything else that's part of the
9 identification tag?

10 A. Well, the information regarding the user and
11 the time and the description of the content.

12 Q. Anything else?

13 A. That's it as far as I know.

14 Q. So in your opinion, an identification tag
15 limitation of claim 1 of the '608 patent is disclosed
16 in your patent application -- withdrawn.

17 You identify the combination of the hex
18 string discussed in paragraph 23 of your report and
19 the information regarding the user and the time and
20 the description of the content shown in paragraph 29
21 of your report as meeting the an identification tag
22 limitation of claim 1 of the '608 patent?

23 A. I -- yes, my assumption is that those unique
24 identifiers and the unique descriptive content of a
25 particular video clip represents the video -- I mean

1 the identification tag.

2 Q. Is it your assumption or your opinion as an
3 expert that was hired in this case by Google to
4 provide opinions about the meaning of the patent?

5 A. It's my opinion.

6 Q. Okay. So your opinion as an expert in this
7 case is that the identification tag of claim 1 of the
8 '608 patent is met by the combination of the hex
9 string that's described in paragraph 23 of your report
10 and the information regarding the user and the time
11 and the description of the content shown in paragraph
12 29 of your report, correct?

13 A. So I was focused on the wrong paragraph and
14 focused on the receiving of the video file as the
15 point of which identifying information would be
16 gathered and it does refer to that information, but --
17 I would refer you to paragraph 25.

18 Q. Sir, did you -- is the analysis in paragraphs
19 22 through 29 of your report your analysis or is it
20 analysis that was provided to you by Google's lawyers?

21 MR. LEE: Objection, form.

22 THE WITNESS: It was not provided to me.
23 They certainly assisted in my -- in my creation of it.

24 BY MR. FRANZINI:

25 Q. Is it someone else's analysis that you just

1 adopted or is it your own analysis?

2 A. Parts of it were more my analysis than
3 others.

4 Q. What parts were your analysis?

5 A. Well, certainly -- let me see.

6 Where I needed their help more was in,
7 obviously, the summary of facts and opinions.

8 Q. I'm talking specifically about paragraphs 22
9 through 29.

10 Was any of that your analysis?

11 A. Some of it, but this is the area where I
12 needed more help.

13 Q. Is it fair to say it's Google's lawyers'
14 analysis that you just adopted?

15 MR. LEE: Objection, form, argumentative.

16 THE WITNESS: I think that's fair to say.

17 BY MR. FRANZINI:

18 Q. Do you even understand what paragraphs 22
19 through 29 say?

20 A. Parts of it certainly more than others.

21 Q. Can you tell me what you identify as the
22 identification tag in your report?

23 A. Can I tell --

24 Q. Well, your report -- I can't tell based on
25 this report what you're saying is the identification

1 tag and it seems like you can't either; is that
2 correct?

3 A. Well, let's look. Well, I have, as I
4 mentioned to you, thought of the identification tag as
5 the information, the unique information describing a
6 video clip.

7 Q. So let's talk about that. What information
8 do you identify in your report as meeting the
9 identification tag?

10 A. Let's see. Seems like I've -- so the 25,
11 paragraph 25 it addresses this issue, the
12 identification tag and runs on --

13 Q. Sir, do you know what you identify as the
14 identification tag in your own expert report?

15 A. I can't point to one -- I have understood it
16 to mean the unique identifiers for a particular video.

17 Q. And you're not sure whether or not that's
18 what your report says?

19 A. I think that the report covers it by
20 describing the -- the managing of a particular clip
21 and the assignment of identifiers for being able to
22 manage that clip through the processes in the server.

23 Q. So what specific information are you --
24 withdrawn.

25 What specific information, in your opinion,

1 meets the requirements of an identification tag in
2 claim 1 of the '608 patent?

3 A. Well, I can't -- I can't tell you.

4 Q. So you provided testimony under oath saying
5 that your patent application discloses an
6 identification tag and you can't tell me what it is?

7 A. That's -- I can't find it in my report here.
8 I just -- I understood it to be the -- what I've
9 described.

10 Q. What you've described is a unique identifier,
11 but you haven't told me what that identifier is.

12 Do you know whether or not that's even
13 included in your patent application?

14 MR. FRANZINI: I'll note for the record all
15 these pauses are eating into my time and I'll ask for
16 more time with this witness.

17 MR. LEE: I'll note the witness is looking at
18 his report which he is entitled to do.

19 THE WITNESS: So I'm reading the report here
20 in 26.

21 Let me see, after receiving video, I'm
22 looking at 25, "After the received video is converted,
23 the Knowledge Assembly server generates an
24 identification tag representing the subject matter of
25 the streaming video and embeds the identification tag

1 into a web page for streaming the file to one or more
2 users. This is described in our provisional
3 application and several places. For example, the
4 streaming file (.ASF file) is placed in a directory
5 and a file called DATA.TXT is generated based on
6 information provided by the knowledge provider when
7 the video file was uploaded and the local name for the
8 file."

9 BY MR. FRANZINI:

10 Q. Well, sir, I asked you to tell me what in the
11 provisional application you believe meets the
12 requirements of an identification tag and what you've
13 done is read a portion of your report that doesn't
14 include that information.

15 Are you able to tell me -- withdrawn.

16 Are you able to tell me what in your patent
17 application meets the requirements of an
18 identification tag?

19 A. I don't -- I don't know what would be
20 considered specifically the identification tag in the
21 Knowledge Assembly system.

22 Q. Okay. You can't identify for me any
23 disclosure of an identification tag in your Knowledge
24 Assembly patent application, right?

25 A. So this describes the various ways in which

1 the application describes how information that's
2 unique to a video is managed by the system.

3 Q. Sir, can you show me a disclosure in the
4 patent application -- in your patent application of
5 something that meets the requirements of an
6 identification tag in claim 1 of the '608 patent?

7 A. I'm out of my depth and I can't identify a
8 specific identification tag.

9 Q. So you don't know whether there's anything in
10 the patent application --

11 MR. LEE: Sorry, I'm just curious if the
12 witness is getting tired or something right now.

13 MR. FRANZINI: I'm curious whether or not I
14 can finish my question, please.

15 MR. LEE: Sorry.

16 BY MR. FRANZINI:

17 Q. Sir, you don't know one way or the other
18 whether the Knowledge Assembly -- withdrawn.

19 You don't know one way or the other whether
20 the Knowledge Assembly patent applications include an
21 identification tag within the meaning of claim 1 of
22 the '608 patent?

23 MR. LEE: Objection, form, argumentative.

24 THE WITNESS: I cannot -- I can't identify it
25 in my report, a specific identification tag.

1 BY MR. FRANZINI:

2 Q. Sir, you don't know one way or the other
3 whether the patent application, your patent
4 application discloses an identification tag within the
5 meaning of claim 1 of the '608 patent, true?

6 MR. LEE: Objection, form, mischaracterizes
7 testimony, argumentative.

8 BY MR. FRANZINI:

9 Q. Sir, how many times have you read paragraphs
10 25 through 29 while we were sitting on the record?

11 MR. LEE: Objection, form.

12 THE WITNESS: So I -- I can't respond to your
13 question. I wish I knew more about it.

14 BY MR. FRANZINI:

15 Q. So you don't know one way or the other
16 whether the Knowledge Assembly patent application
17 discloses an identification tag within the meaning of
18 claim 1 of the '608 patent, right?

19 MR. LEE: Objection, mischaracterizes
20 testimony, argumentative.

21 THE WITNESS: I have thought of the
22 identification tag as the combined information that's
23 unique to a video.

24 BY MR. FRANZINI:

25 Q. Okay. You can't identify anything for me --

1 withdrawn.

2 You can't identify for me anything in your
3 patent application that discloses an identification
4 tag within the meaning of claim 1 of the '608 patent,
5 correct?

6 MR. LEE: Objection, asked and answered.

7 THE WITNESS: So perhaps I should go back to
8 the patent application.

9 BY MR. FRANZINI:

10 Q. Well, sir you didn't identify anything in
11 your report in paragraphs 25 through 29 that you can
12 show me to be an identification tag, right?

13 A. I could not.

14 MR. LEE: Are you needing a break?

15 THE WITNESS: I could use a break, yeah.

16 MR. FRANZINI: Okay. Let's take a break.

17 THE VIDEOGRAPHER: This marks the end of
18 volume 1 disk 3 in the deposition of Michael Keehan.

19 The time is 3:55 and we are off the record.

20 (Recess taken.)

21 THE VIDEOGRAPHER: This marks the beginning
22 of volume 1 disk 4 in the deposition of Michael
23 Keehan.

24 The time is 4:16 and we are on the record.

25 BY MR. FRANZINI:

1 Q. Sir, did you have any conversations with
2 anyone during this break?

3 A. Not of any substance, the conversation we
4 had.

5 Q. Who did you speak with?

6 A. I spoke with Pan -- he has consistently told
7 me to go slowly and tell the truth and that -- and
8 that's pretty much it.

9 Q. Did you talk about any of the subject matter
10 of the testimony you gave today?

11 A. No.

12 Q. Did you talk about any testimony that you
13 might be giving going forward?

14 A. No.

15 Q. Anything about the case?

16 A. No.

17 Q. Before the break, you were not able to point
18 me to any disclosure of an identification tag meeting
19 the requirements of claim 1 of the '608 patent,
20 correct?

21 MR. LEE: Objection, form, mischaracterizes
22 testimony.

23 THE WITNESS: That's correct, I described to
24 you what I had interpreted as the unique information
25 for a video clip.

1 BY MR. FRANZINI:

2 Q. As far as you can tell, paragraphs 25 through
3 29 of your report don't identify any disclosure of an
4 identification tag meeting the requirements of claim 1
5 of the '608 patent except for the thumbnail, right?

6 A. I think it's in here. I just -- as I've said
7 before, I understood it to be something other than
8 what it is, apparently.

9 Q. Okay. So you mentioned in your opinion the
10 unique identifiers for video meet the requirements of
11 an identification tag, right?

12 A. Yes.

13 Q. Now, that would include the information shown
14 on paragraph 29 of your report such as the user name,
15 the video --

16 A. Right.

17 Q. -- and so forth?

18 A. Yes.

19 Q. Would it also include the clip ID described
20 at the top of page 13 of your report?

21 A. Yes.

22 Q. Okay. Is the clip ID the same as that hex
23 string we talked about earlier today?

24 A. I don't believe so.

25 Q. When we're looking at paragraph 23, you said

1 that the getvid script is what created a hex string?

2 A. Yes.

3 Q. The hex string is the thing that identifies
4 the video file in the system?

5 A. I don't believe that's the part of the tag,
6 the identification tag.

7 Q. So in your opinion, the hex ID described in
8 paragraph 23 of your report, that does not meet the
9 requirements of an identification tag within the
10 meaning of claim 1 of the '608 patent, right?

11 A. That's correct.

12 Q. Okay. However, the clip ID as well as the
13 information on paragraph 29 of your report, those meet
14 the requirements of an identification tag?

15 A. I believe so.

16 Q. Now, if it turns out that the clip ID is
17 actually the hex string, does that mean that the clip
18 ID does not meet the requirements of an identification
19 tag?

20 A. Give me that again.

21 Q. You testified that the hex string described
22 in paragraph 23, that does not meet the requirements
23 of an identification tag?

24 A. I'm not -- I don't -- I'm not a technical
25 person, as you may have figured out. So I don't know

1 what a hex string is.

2 Q. So you don't know whether it meets the
3 requirements of an identification tag within the
4 meaning of claim 1 of the '608 patent?

5 A. That's correct.

6 Q. Okay. You also don't know -- withdrawn.
7 What's a clip ID?

8 A. It is a unique identifier for a clip.

9 Q. Okay. And how do you know that?

10 A. It's obvious, as I've been saying, each clip
11 has to have a unique identifier.

12 Q. Okay. So the clip ID, the data and time,
13 title and subject and optionally a topic meet the
14 requirements of an identification tag in your opinion?

15 A. I -- yes, I have thought so.

16 Q. Okay. So is that the basis for your opinion
17 that you provided that the Knowledge Assembly patent
18 application discloses generating an identification
19 tag?

20 A. Yes.

21 Q. Now, before we looked at claim 1 of the '608
22 patent and we saw that the generating of the
23 identification tag has to be performed by the
24 receiving computer, right?

25 A. Uh-huh.

1 Q. That's the server?

2 A. Right.

3 Q. Now, in your report, you say -- in paragraph
4 28, you say that the identification tag information
5 was based on information entered by the knowledge
6 provider when uploading the video file.

7 Do you see that?

8 A. Yes.

9 Q. And the paragraph you cite shows the user on
10 the user's computer is the one that provides or
11 generates the user name, video title, subject and the
12 date, right?

13 A. Yes.

14 Q. So the video title, subject, date and user
15 name, that's information that's generated at the
16 user's computer, not the Knowledge Assembly server,
17 right?

18 MR. LEE: Objection, form.

19 THE WITNESS: I think it depends on whether
20 they have the server -- I'm sorry, the knowledge
21 provider software or whether they're using a form
22 through a web page.

23 BY MR. FRANZINI:

24 Q. Well, in either case, the information such as
25 the date and time, the title, subject and the user

1 name, that's information that's generated by the
2 knowledge provider on the knowledge provider's
3 computer whether in a web page or in an application
4 program, right?

5 A. Well, if you have the knowledge provider --
6 if you didn't have knowledge provider and you were
7 relating to the system through a web page, then you
8 wouldn't -- then you wouldn't have -- obviously
9 wouldn't have knowledge provider.

10 Q. Well --

11 A. You would be filling in the information on
12 the web page.

13 Q. And you would be generating that information
14 at your computer, right?

15 A. At your computer, right.

16 Q. That's the computer of the knowledge
17 provider, not the Knowledge Assembly server?

18 A. Correct.

19 Q. So generating that information cannot meet
20 the requirements of generating an identification tag
21 within the meaning of claim 1 of the '608 patent,
22 right?

23 A. I see what you mean.

24 Q. You agree with me?

25 A. Well, it's -- I have thought of the tag being

1 this -- all the unique identifiers and all of that
2 being transmitted to the server.

3 Q. So the disclosure that you point to as the
4 identification tag is actually information that's
5 generated at the knowledge provider's computer and
6 then sent to the server?

7 A. No. I see the server as identifying -- as
8 assigning unique identifier to the video clip once it
9 arrives at the server.

10 Q. The user name, the video title, subject and
11 the date, that's information that's generated by
12 the -- at the knowledge provider's computer, right?

13 A. It is.

14 Q. So generating that information cannot meet
15 the requirements of generating an identification tag,
16 right?

17 MR. LEE: Objection, form.

18 THE WITNESS: Do you mean by the server?

19 BY MR. FRANZINI:

20 Q. Generating the user name, the video title,
21 subject and the date, that doesn't meet the
22 requirements of generating an identification tag
23 within the meaning of claim 1 of the '608 patent,
24 right?

25 A. Because it's on the server.

1 Q. Right.

2 A. It would be on the server.

3 Yes, I think that's true, but if you were
4 loading through a web site, then you would be, in
5 effect, on the server.

6 Q. If you're loading through a web site, you're
7 generating that information on your computer and your
8 computer is transmitting that information that's
9 already been generated to the server, right?

10 A. Right.

11 Q. Okay. So the act of generating the user
12 name, the video title, subject and the date, that's a
13 step that's performed at the computer of the knowledge
14 provider, not the computer of Knowledge Assembly
15 server, right?

16 A. Okay.

17 Q. Do you agree with that statement?

18 A. I do.

19 Q. So generating the user name, the video title,
20 subject and the date as is described in your patent
21 application cannot meet the requirements of generating
22 an identification tag within the meaning of claim 1 of
23 the '608 patent, correct?

24 MR. LEE: Objection, form.

25 THE WITNESS: That sounds correct to me.

1 BY MR. FRANZINI:

2 Q. What you point to as generating an
3 identification tag doesn't meet the requirements of
4 claim 1 of the '608 patent, right?

5 A. That -- that appears to be correct.

6 Q. So the statement in your report that says on
7 paragraph 25 after the receiving -- the received video
8 file is converted, the Knowledge Assembly server
9 generates an identification tag, that statement is
10 incorrect?

11 A. I feel out of my depth in this whole
12 consideration of identification of the information tag
13 and where it's generated, what form it takes, its
14 purpose specifically and even where it's generated are
15 all matters that I'm not clear on at this point.

16 Q. So would it be fair to say that the sentence
17 "After the received video file is converted, the
18 Knowledge Assembly server generates an identification
19 tag representing the subject matter of the streaming
20 video and embeds the identification tag into a web
21 page for streaming the video file to one or more
22 users" in paragraph 25 of your report, you're not sure
23 if that statement is true or not, right?

24 MR. LEE: Objection, form.

25 THE WITNESS: Before I said that, I would

1 feel the need to reread this and to study it and to
2 understand it better than I do now.

3 BY MR. FRANZINI:

4 Q. Well, sir, how many times have you read
5 paragraphs 25 through 29 today during this deposition
6 on the record?

7 MR. LEE: Objection, form.

8 THE WITNESS: I have looked at parts of it
9 several times. I haven't read it through in its
10 entirety and studied it to the extent that I
11 apparently need to in order to identify how it might
12 be interpreted as meeting the requirements of a tag.

13 BY MR. FRANZINI:

14 Q. Now, that study that you just mentioned you
15 would have to do, that's not something you did before
16 signing your report, right?

17 MR. LEE: Objection, form.

18 THE WITNESS: I was -- I was -- I have told
19 you what I interpret as the information tag and in my
20 understanding, it was covered by this report.

21 If there's something more specific regarding
22 the meaning of an information tag, then I need to find
23 it.

24 And I think it's in here, I think it's -- but
25 I need to -- I would need to study it in order to feel

1 comfortable stating that it doesn't -- this doesn't
2 meet the requirements of claim 1.

3 BY MR. FRANZINI:

4 Q. Now, your understanding of claim 1 and of
5 what's written in paragraphs 25 through 29 today,
6 that's the same as the understanding you had when you
7 signed this report on April 8th, right?

8 MR. LEE: Objection, form.

9 THE WITNESS: That my understanding today is
10 the same as it was when I signed this report, I
11 understood that an information tag is something I'm
12 convinced by you other than what it is.

13 So I had a different way of interpreting
14 these paragraphs.

15 BY MR. FRANZINI:

16 Q. Now, the reason that you believed the
17 statement in paragraph 25 to be true when you signed
18 your report was that -- withdrawn.

19 When you said that after the received video
20 file -- withdrawn.

21 When you signed a report that said "after the
22 received video file is converted, the Knowledge
23 Assembly server generates an identification tag," your
24 understanding of what an identification tag was --
25 withdrawn.

1 The information that you believe to meet the
2 identification tag requirement at the moment you
3 signed your report included the user name, video
4 title, subject and date, correct?

5 A. I'm sorry.

6 Q. The information that you believed to meet the
7 requirements of an identification tag when you signed
8 your report included the user name, video title,
9 subject and date?

10 A. Included.

11 Q. Okay. Also included a clip ID?

12 A. Correct.

13 Q. But the user name, the video title, subject
14 and date is information that is generated at the
15 knowledge provider's computer, not the Knowledge
16 Assembly server, correct?

17 A. Right.

18 Q. And the clip ID is never embedded in a web
19 page, right?

20 MR. LEE: Objection, form.

21 THE WITNESS: Okay.

22 BY MR. FRANZINI:

23 Q. Do you agree with me?

24 A. Yes.

25 Q. So none of the information you believe to

1 meet the requirements of an identification tag at the
2 time you signed your report actually meets those
3 requirements in your opinion today, correct?

4 MR. LEE: Objection, form, argumentative.

5 THE WITNESS: This is -- so as I said, I feel
6 the need to study this and to read what's in my report
7 with a new understanding of what it is I'm looking
8 for.

9 BY MR. FRANZINI:

10 Q. I want to talk about your understanding at
11 the time you signed your report and your understanding
12 today.

13 So at the time you signed your report, your
14 understanding was that the identification tag
15 requirement was met by the clip ID, the user name, the
16 video title, subject and the date, correct?

17 MR. LEE: Objection, form.

18 THE WITNESS: All of the unique information
19 for the video clip.

20 BY MR. FRANZINI:

21 Q. Were you aware of any other unique
22 information at the time?

23 A. Well, this -- the DATA.TXT file.

24 Q. Okay. Now, you're talking about the DATA.TXT
25 file in paragraph 26 of your report?

1 A. Yes, I am.

2 Q. In the disclosure from the patent application
3 that you quote in your report in paragraph 26, it
4 doesn't say that the DATA.TXT file is generated by the
5 server in response to receiving a video file, does it?

6 A. Yeah, it says "is generated based on
7 information provided by the knowledge provider when
8 the video file was uploaded and the local name for the
9 file."

10 Q. That's what your report that Google's lawyers
11 drafted says, right?

12 MR. LEE: Objection, form.

13 THE WITNESS: So "The resulting ASF file is
14 placed in a directory appropriate to the asynchronous
15 forum. A file called the DATA.TXT in that same
16 directory is uploaded with information" --

17 BY MR. FRANZINI:

18 Q. It says is updated.

19 A. Well, it was generated when the file was
20 uploaded.

21 Q. That's not what this says, does it?

22 A. In 26?

23 Q. The body of your report that Google's lawyers
24 drafted says that, but if you read the thing you're
25 quoting it actually says the DATA.TXT in that same

1 directory is updated, right?

2 MR. LEE: Objection, form, argumentative,
3 mischaracterizes the document.

4 THE WITNESS: Is updated with information
5 from the original form, so yes, it does say that in
6 that same directory is updated with information from
7 the original form.

8 BY MR. FRANZINI:

9 Q. To be updated a file has to exist already?

10 A. Pardon me?

11 Q. In order for a file to be updated it has to
12 already be in existence, right?

13 MR. LEE: Objection, form.

14 THE WITNESS: Yes, I'm assuming that data --
15 let me see. Yeah, so I don't know enough to respond
16 to that.

17 I read this originally that DATA.TXT file
18 is -- is updated when the file was uploaded, that
19 there are multiple files in the DATA.TXT file, there
20 are multiple thumbnails.

21 BY MR. FRANZINI:

22 Q. That's not at all what this says, though,
23 right?

24 A. I don't -- that's not what it says.

25 Q. So this -- withdrawn.

1 Page 14, lines 17 through 21 of the
2 provisional patent application that you cite in
3 paragraph 26 of your report, that doesn't provide any
4 evidence or any disclosure of generating a DATA.TXT
5 file, right?

6 MR. LEE: Objection, form.

7 THE WITNESS: Not of generating it, yeah,
8 it's the previous section is -- the previous
9 paragraph.

10 BY MR. FRANZINI:

11 Q. Well, it actually suggests the exact
12 opposite, right, it suggests the DATA.TXT file was
13 already generated?

14 MR. LEE: Objection, form.

15 THE WITNESS: Yes, it indicates it's updated
16 with information from the original form.

17 BY MR. FRANZINI:

18 Q. So this disclosure paragraph -- withdrawn.

19 The disclosure on page 14, lines 17 through
20 21 of your provisional application that's cited on
21 paragraph 26 of your report does not provide any
22 evidence of generating an identification tag, correct?

23 MR. LEE: Objection, form.

24 THE WITNESS: I guess I'm thinking that the
25 DATA.TXT file -- I read this as indicating that was a

1 file that was -- that was part of a display.pl that
2 contained the various tags for at least a particular
3 asynchronous video forum.

4 BY MR. FRANZINI:

5 Q. So the -- withdrawn.

6 You agree with me, as Google's expert and as
7 the inventor on the knowledge provider application,
8 that the DATA.TXT file does not meet the requirements
9 of an identification tag as that term is used in claim
10 1 of the '608 patent, correct?

11 MR. LEE: Objection, form.

12 THE WITNESS: I believe that the DATA.TXT --
13 I don't know. I have seen it as a file where the tag
14 information is stored.

15 BY MR. FRANZINI:

16 Q. Sir, we will get to the tag information.

17 I want to talk about the DATA.TXT file.

18 As Google's expert and as the inventor on the
19 Keehan application, you agree with me that the
20 DATA.TXT disclosure in the Keehan application does not
21 meet the requirements of an identification tag as that
22 term is used in claim 1 of the '608 patent, correct?

23 MR. LEE: Objection, form.

24 THE WITNESS: I don't know whether it does or
25 not.

1 BY MR. FRANZINI:

2 Q. You don't have the opinion that the DATA.TXT
3 file meets the requirements of an identification tag,
4 right?

5 A. It's not clear to me from reading this.

6 Q. Is it your opinion that the DATA.TXT file
7 meets the requirements of an identification tag or
8 not?

9 A. I don't have an opinion about that.

10 Q. Okay. You don't know one way or the other?

11 A. I don't know. I'm not a technical person. I
12 don't know anything about coding, basically.

13 Q. You have no idea -- withdrawn.

14 You're not aware of anything in the Keehan
15 application that suggests that the DATA.TXT file meets
16 the requirements of an identification tag, correct?

17 MR. LEE: Objection, form.

18 THE WITNESS: I can't -- I can't comment on
19 whether it -- whether it meets the requirements for it
20 or not.

21 I don't know.

22 BY MR. FRANZINI:

23 Q. That's because you're not a technical expert,
24 right?

25 A. That's correct.

1 MR. LEE: Objection, form.

2 BY MR. FRANZINI:

3 Q. You don't understand how coding works?

4 A. Just in general enough terms, but not with
5 respect to a particular language or, for instance,
6 scripting.

7 Q. You don't understand what some of the things
8 that are written in your patent application mean,
9 right?

10 A. That's correct.

11 Q. You don't understand what's written in some
12 of the portions of your expert report you submitted in
13 this case, correct?

14 MR. LEE: Objection, form.

15 THE WITNESS: There are obviously things in
16 here that I don't understand.

17 As I said, I felt that my understanding of an
18 tag -- I knew that the server generated a tag as a
19 unique identifier for a clip, and I felt that that was
20 sufficient understanding for being able to sign the
21 report.

22 BY MR. FRANZINI:

23 Q. Now, there's things in your expert report you
24 don't understand?

25 MR. LEE: Objection, form.

1 THE WITNESS: It's apparent that there are
2 technical things in this report that I don't
3 understand.

4 BY MR. FRANZINI:

5 Q. Those are things that Google's lawyers
6 drafted that you signed onto?

7 MR. LEE: Objection, form.

8 THE WITNESS: This is one of the areas where
9 I needed assistance in putting together the report.

10 BY MR. FRANZINI:

11 Q. There's things in your report that you don't
12 understand that Google's lawyers drafted and you
13 signed onto, correct?

14 MR. LEE: Objection, form.

15 THE WITNESS: It's evident that there are
16 some.

17 BY MR. FRANZINI:

18 Q. Now, sir, let's turn back to paragraph 37 of
19 your report.

20 A. Okay.

21 Q. It says "As shown at least by our patent
22 application and the other materials referenced here,
23 it is my opinion that Knowledge Assembly, led by me
24 supervising George Reinhart, conceived of and actually
25 practiced the subject matter described in our patent

1 application by no later than October 5th, 1998," and
2 you cite a number of claims of VideoShare patents?

3 A. Right.

4 Q. Based on our conversation today, do you have
5 any confidence this opinion is true anymore?

6 MR. LEE: Objection, form.

7 THE WITNESS: Practiced the subject matter
8 described in the patent application.

9 Well, my understanding has certainly changed,
10 but I still feel that the -- particularly the
11 description of the -- as described in the patent
12 application, I -- that I would stand by it based on
13 what's in the patent application.

14 BY MR. FRANZINI:

15 Q. Sir, we just talked about the patent
16 application and you weren't able to identify any
17 disclosure meeting the requirements of generating an
18 identification tag, right?

19 MR. LEE: Objection, form, mischaracterizes
20 testimony.

21 THE WITNESS: That's right.

22 BY MR. FRANZINI:

23 Q. You also weren't able to identify any
24 disclosure of embedding the identification tag into a
25 web page, right?

1 A. Right.

2 MR. LEE: Objection, form, mischaracterizes
3 testimony.

4 BY MR. FRANZINI:

5 Q. Sir, do you have any confidence that the
6 opinions set forth in paragraph 37 of your report are
7 true?

8 MR. LEE: Objection, form, argumentative.

9 THE WITNESS: I do.

10 BY MR. FRANZINI:

11 Q. Even though you're not able to identify any
12 disclosure in the patent application of two of the
13 steps of claim 1 of the '608 patent, you're still
14 confident in your opinion that the Knowledge Assembly
15 led by you supervising George Reinhart conceived of
16 and actually practiced the subject matter described in
17 your patent application and that that included every
18 step of claim 1 of the '608 patent?

19 MR. LEE: Objection, form, mischaracterizes
20 testimony, argumentative.

21 THE WITNESS: I stand by that statement.

22 BY MR. FRANZINI:

23 Q. So even though -- withdrawn.

24 Even though you can't identify any disclosure
25 in your patent application that meets two of the

1 requirements of claim 1 of the '608 patent, you are
2 confident that your patent application discloses those
3 elements?

4 MR. LEE: Objection, form, mischaracterizes
5 testimony, argumentative, asked and answered.

6 THE WITNESS: I -- I can't respond to some of
7 the technical questions, but I feel as though we were
8 accomplishing this and --

9 BY MR. FRANZINI:

10 Q. Sir, do you feel comfortable testifying under
11 oath that your patent application disclosed each
12 element of claim 1 of the '608 patent except for
13 thumbnails?

14 MR. LEE: Objection, form, argumentative.

15 THE WITNESS: I think that in some embodiment
16 of the patent application, they're disclosed.

17 BY MR. FRANZINI:

18 Q. Even though you can't identify where it is in
19 your patent application?

20 MR. LEE: Objection, form, mischaracterizes
21 testimony.

22 THE WITNESS: That's a -- that would be a
23 different project, yeah.

24 BY MR. FRANZINI:

25 Q. So it's fair to say you don't know one way or

1 the other whether your patent application discloses
2 generating an identification tag, correct?

3 MR. LEE: Objection, form, mischaracterizes
4 testimony, asked and answered.

5 THE WITNESS: I'm sorry, say it again.

6 BY MR. FRANZINI:

7 Q. It's fair to say that, as you sit here today,
8 you can't tell me with confidence one way or the other
9 whether your patent application discloses generating
10 an identification tag?

11 MR. LEE: Same objection.

12 THE WITNESS: I know that it -- that it did
13 generate an identification tag.

14 BY MR. FRANZINI:

15 Q. What is that knowledge based on?

16 A. The functional description of the system.

17 Q. The one cited in your report?

18 A. In the report and in the patent application.

19 Q. Sir, you can't show me anything that
20 discloses an identification tag within the meaning of
21 claim 1 of the '608 patent, right?

22 MR. LEE: Objection, form, mischaracterizes
23 testimony.

24 THE WITNESS: I think that that doesn't mean
25 that it's not there. I feel as though I'm missing

1 what's there.

2 BY MR. FRANZINI:

3 Q. Do you think you're qualified to be a
4 technical expert in this case?

5 MR. LEE: Objection, form, argumentative.

6 THE WITNESS: Not a technical expert.

7 BY MR. FRANZINI:

8 Q. Are you qualified to provide opinions about
9 the technical operation of the Knowledge Assembly
10 system?

11 A. I don't have enough understanding of
12 technical matters to be able to do so.

13 Q. So you would agree with me you're not
14 qualified to offer technical opinions in this case?

15 MR. LEE: Objection, form.

16 THE WITNESS: I'm not a -- I don't have
17 technical expertise.

18 BY MR. FRANZINI:

19 Q. You would agree with me you're not qualified
20 to provide technical opinions in this case?

21 MR. LEE: Objection, form.

22 THE WITNESS: I wouldn't make that as a
23 blanket statement. There are different levels of
24 technical opinions.

25 BY MR. FRANZINI:

1 Q. Do you think you're qualified to offer
2 opinions about the meaning of the claims in the '608
3 patent?

4 A. I have thought so.

5 Q. Let's talk about embedding an identification
6 tag into a web page.

7 In your report, you identify a process by
8 which a web page is dynamically generated when a user
9 visits the Knowledge Assembly web site, right?

10 A. I was looking at my report.

11 So ask me again.

12 Q. In your report, what you identify as meeting
13 the requirement of generating -- withdrawn.

14 What you -- withdrawn.

15 In your report what you identify as meeting
16 the step of embedding the identification tag into a
17 web page is a script that dynamically generates the
18 web page that includes information that you say is an
19 identification tag when the user visits the Knowledge
20 Assembly web site, correct?

21 A. Tell me where this -- where it -- I'm missing
22 it in the report.

23 Q. What do you identify as generating --
24 withdrawn.

25 What do you identify as performing element

1 (b3) -- withdrawn.

2 In your opinion, what within your patent
3 application meets the requirements of embedding the
4 identification tag into a web page?

5 A. I can't find the section in this copy which
6 addresses that.

7 Q. As you sit here today, you don't know whether
8 or not anything in the Knowledge Assembly patent
9 application meets the requirements of embedding an
10 identification tag into a web page, right?

11 MR. LEE: Objection, form.

12 THE WITNESS: So it's addressed in this same
13 section, paragraph 25 and identifying the streaming
14 video and embedding the tag in a web page.

15 BY MR. FRANZINI:

16 Q. Paragraph 27 is where you talk about
17 embedding, right?

18 A. Yes.

19 Q. You say that "The streaming video files and
20 their associated identifications that represented the
21 subject matter of the streaming video file were listed
22 on a web page with information that identified the
23 video, the date, the time and the title/subject and
24 included the presenters' name and topic."

25 Do you see that?

1 A. Yes.

2 Q. Is the process of including that information
3 in a web page what you identify as meeting the step of
4 embedding the identification tag come -- withdrawn.

5 Is the description in paragraph 27 what you
6 identify as meeting the requirement of embedding the
7 identification tag into a web page?

8 A. Yes.

9 Q. Now, if you turn to page 13, it talks about
10 displayed.pl, the script?

11 A. Uh-huh.

12 Q. It says "Tests to see if an HTTP cookie has
13 come from a user's web browser indicating which clip
14 in the asynchronous video forum's series she last
15 saw."

16 Do you see that?

17 A. Right.

18 Q. Then it says "Produces a welcome page to the
19 asynchronous video forum."

20 Do you see that?

21 A. Yes.

22 Q. Is that what you're identifying as meeting
23 the requirements of embedding an identification tag?

24 A. Well, that plus the other two sections.

25 Q. Well, in your opinion, the process of

1 producing a welcome page that's described on page 14
2 lines 22 to 28 of the Knowledge Assembly patent
3 application, that meets the requirements of embedding
4 an identification tag into a web page, correct?

5 A. Well, I don't -- I don't know -- I don't know
6 how to respond.

7 Q. Well, can you tell whether any of the
8 disclosures you cite in paragraph 27 of your report
9 disclose embedding an identification tag into a web
10 page?

11 A. Well, I don't know how this works.

12 Q. You don't know how what works?

13 A. The embedding process.

14 Q. You have no idea how embedding worked in the
15 Knowledge Assembly patent application?

16 A. That's correct.

17 Q. Is it fair to say that you're not qualified
18 to -- withdrawn.

19 Is it fair to say that you're not qualified
20 to test [sic] about what your patent applications are
21 describing from a technical standpoint?

22 MR. LEE: Objection, form.

23 THE WITNESS: That's evident, yeah.

24 BY MR. FRANZINI:

25 Q. And you don't know one way or the other

1 whether your patent applications disclose embedding an
2 identification tag into a web page?

3 A. Well, clearly they needed to in order to
4 function as described here.

5 Q. Okay. Do you have any idea whether they
6 describe it as being done automatically by a receiving
7 computer?

8 A. I know that they had to have it
9 automatically.

10 Q. They could have manually coded it, right?

11 MR. LEE: Objection, form.

12 THE WITNESS: That wouldn't meet the
13 requirements of the system, the functioning of the
14 system.

15 BY MR. FRANZINI:

16 Q. Well, here in your report on page 13 you're
17 identifying the function of the display.pl script as
18 meeting the requirements of embedding an
19 identification tag into a web page, right?

20 A. Right.

21 Q. Okay. And the display.pl performed its
22 operations in part -- withdrawn.

23 The display.pl script performed its
24 operations in part based on information about whether
25 or not the user has an HTTP cookie on their computer,

1 right?

2 A. Right.

3 Q. So, in your opinion, the step of executing by
4 the receiving computer in response to receiving the
5 video file an automated function automatically
6 performing each of elements (b1), (b2) and (b3)
7 including embedding the identification tag into a web
8 page, that can be met by a function that performs
9 operations in response to information that comes from
10 the user's browser, correct?

11 A. That appears to be the case.

12 Q. So as Google's expert regarding the '608
13 patent, you agree with me that the step of executing
14 by the receiving computer in response to receiving the
15 video file an automated function automatically
16 performing each of elements (b1), (b2), (b3) including
17 embedding the identification tag into a web page, that
18 can be done by a process that is initiated by a user
19 visiting a web site and relies on information from
20 that user's computer, correct?

21 A. That's right.

22 Q. Your Knowledge Assembly system embodiment
23 never used thumbnails, right?

24 A. Correct.

25 Q. And your patent application never used

1 thumbnails -- withdrawn.

2 A. Correct.

3 Q. Your patent application never described
4 thumbnails, right? Correct?

5 A. That's correct.

6 Q. There's nothing in your patent application
7 that says hey, it's a good idea to identify video
8 files by thumbnails, right?

9 A. That's right.

10 Q. There's no teaching whatsoever in your patent
11 application that would lead somebody to include
12 thumbnails on the Knowledge Assembly system, correct?

13 A. Correct.

14 Q. Now, is the reason that you didn't include
15 any mention of thumbnails in your patent application
16 that you didn't think of it or that you thought of it
17 and decided against it because it was a bad idea?

18 A. The latter.

19 Q. Why did you think it was a bad idea to
20 include thumbnails on your Knowledge Assembly system?

21 A. Because what we were encouraging users to do
22 is to select a series of clips that are separated by
23 no more than a couple of seconds to give the illusion
24 of a realtime discussion and to create a system that
25 encourages people to select from among the -- among

1 thumbnails is to put too much emphasis on the
2 personality.

3 We wanted the emphasis to be on the exchange
4 of knowledge.

5 Q. So you agree with me that a person of
6 ordinary skill in the art at the time of the
7 VideoShare patent applications looking at your
8 Knowledge Assembly patent would not conclude it's
9 obviously a good idea to add thumbnails to your patent
10 application, right?

11 MR. LEE: Objection, form, calls for
12 speculation.

13 THE WITNESS: Yeah, I don't know what other
14 people would say, but that's my opinion.

15 BY MR. FRANZINI:

16 Q. Well, as an inventor on a patent
17 application -- withdrawn.

18 As the inventor on the Knowledge Assembly
19 patent application, you personally didn't think that
20 it was obviously a good idea to add thumbnails to the
21 system described in your patent application, right?

22 A. Furthermore, I thought it was a bad idea to
23 add them.

24 Q. Do you think a person looking at your system
25 and your patent application would be discouraged from

1 adding thumbnails to that system?

2 A. No, because they're not -- they're not
3 mentioned.

4 Is that what you mean?

5 Q. Other people would come to the same
6 conclusion you did, right, that thumbnails wouldn't
7 make sense in the context of your system, right?

8 A. I would hope so.

9 Q. So in other -- withdrawn.

10 Your co-inventor -- withdrawn.

11 Your co-worker, Mr. Reinhart, also didn't
12 think it was obviously a good idea to add thumbnails
13 to the Keehan application or the Keehan system, right?

14 MR. LEE: Objection, form, calls for
15 speculation.

16 THE WITNESS: I don't remember having a
17 conversation with him about it.

18 BY MR. FRANZINI:

19 Q. But you thought it through and you concluded
20 it was a bad idea to add thumbnails?

21 A. Right.

22 Q. Do you think other people in the art would
23 have come to the same conclusion at the time?

24 A. As I say, I think so. I think the emphasis
25 wanted to be on the subject matter rather than on

1 personalities which would inevitably be forwarded by
2 the focus on thumbnails.

3 Q. Do you think providing thumbnails --
4 withdrawn.

5 Did you need thumbnails on your system to be
6 able to identify the subject matter of the video
7 clips?

8 A. No.

9 Q. Do you think anyone would have thought it was
10 obviously a good idea to add thumbnails to your system
11 to identify the subject matter of the video clips?

12 A. No, because they would see a talking head, a
13 picture of a talking head and the emphasis here that I
14 was trying to forward was on the exchange, the nature
15 of the exchange.

16 Q. In fact, people of ordinary skill in the art
17 would conclude it was obviously a bad idea to add
18 thumbnails -- I'll reask it, withdraw.

19 Sir, a person of ordinary skill in the art at
20 the time of the VideoShare inventions would conclude
21 that it was obviously a bad idea to add thumbnails to
22 the system described in your Keehan patent
23 applications, correct?

24 MR. LEE: Objection, form, calls for
25 speculation.

1 THE WITNESS: I believe that's the case.

2 BY MR. FRANZINI:

3 Q. Withdrawn.

4 Sir, a person of ordinary skill in the art at
5 the time of the VideoShare patents would conclude that
6 it's obviously a bad idea to add thumbnails to the
7 system described in your patent application, correct?

8 MR. LEE: Objection, form, calls for
9 speculation.

10 THE WITNESS: I would think so.

11 MR. LEE: Pause, let me object and then you
12 can answer.

13 THE WITNESS: Gotcha.

14 BY MR. FRANZINI:

15 Q. Withdrawn.

16 Sir, a person of ordinary skill in the art at
17 the time of the VideoShare patent applications would
18 have concluded that it's obviously a bad idea to add
19 thumbnails to the system described in your patent
20 application, correct?

21 MR. LEE: Objection, form, calls for
22 speculation.

23 THE WITNESS: I would hope so.

24 BY MR. FRANZINI:

25 Q. So yes?

1 A. Yes.

2 Q. Sir, your patent application doesn't make any
3 mention of advertisements, correct?

4 A. Correct.

5 Q. Is that because you didn't think of adding
6 advertisements to your system or because you thought
7 of it and concluded it was a bad idea?

8 A. I thought of it and concluded that it would
9 be a bad idea for inclusion of advertisements at this
10 point.

11 It has to do with our target market. We were
12 going after communities of interest on the Internet
13 and finding a community of interest that would be
14 large enough to make advertising profitable would take
15 some time.

16 So I was certainly interested in the
17 development of advertising as a revenue stream.

18 It's either have at the time the cost of
19 streaming meant that you had to either have a
20 subscription model or an advertising model and the
21 advertising model hadn't been developed yet.

22 So I was certainly open to it, but the nature
23 of our business was that we were not going for the
24 known world, we weren't going for a Google, a YouTube
25 that would have many thousands of users, but rather

1 usually hundreds, maybe a few thousands, but not a
2 large enough community to support advertising.

3 Q. Well, in your patent application there's
4 nothing that says hey, one possible future application
5 is to monetize the system with advertisements, right?

6 MR. LEE: Objection, form.

7 THE WITNESS: That's correct.

8 BY MR. FRANZINI:

9 Q. There's nothing that would lead a person of
10 ordinary skill in the art to want to add
11 advertisements to the system you described in the --
12 in your patent application, right?

13 MR. LEE: Objection, form, calls for
14 speculation.

15 THE WITNESS: I think that's true.

16 BY MR. FRANZINI:

17 Q. You mentioned you weren't going for a
18 YouTube.

19 What did you mean by that?

20 A. Was not going for a single clip being viewed
21 by many thousands of people; in the case of YouTube,
22 hundreds of thousands.

23 Q. You would agree that your Knowledge Assembly
24 system wasn't intended to allow anybody to upload
25 video files and share them with others, right?

1 MR. LEE: Objection, mischaracterizes
2 testimony.

3 THE WITNESS: That's correct. We were trying
4 to encourage the formation and functioning of
5 communities of interest and so we would go to an
6 organization that had an interest in supporting their
7 community with access to name brand people in their
8 field.

9 BY MR. FRANZINI:

10 Q. So the problem that you were trying to
11 resolve with your patent application was that of being
12 able to create communities of interest, not allow
13 anyone to upload a video file and share it over the
14 Internet, right?

15 MR. LEE: Objection, form, mischaracterizes
16 testimony.

17 THE WITNESS: That's true.

18 BY MR. FRANZINI:

19 Q. Sir, would you agree with me one of ordinary
20 skill in the art would understand that a web page
21 refers to a document or a collection of documents and
22 associated files that is accessible over the World
23 Wide Web and available for display to a user in an
24 application program on a computing device?

25 MR. LEE: Objection, form.

1 THE WITNESS: That sounds like a good
2 definition to me.

3 BY MR. FRANZINI:

4 Q. Now, you would agree with me the phrase "a
5 web page" is not limited to HTML files displayed in a
6 browser but can include a collection of documents or
7 files in any format on the World Wide Web that can be
8 displayed to a user?

9 MR. LEE: Objection, form, relevance.

10 THE WITNESS: That sounds -- that sounds true
11 to me.

12 BY MR. FRANZINI:

13 Q. Now, you would agree with me that a web page
14 doesn't necessarily need to be displayed in a browser
15 but can be displayed in other application programs?

16 MR. LEE: Same objection.

17 THE WITNESS: I'm really just familiar with
18 these terms as a lay person.

19 A web page usually implies a browser.

20 I really am not familiar with web pages being
21 used otherwise.

22 BY MR. FRANZINI:

23 Q. You --

24 A. The term "web page" being used outside of a
25 browser context.

1 Q. You could display a web page within a
2 specific application, right?

3 A. You could display a screen.

4 I'm sure there are applications where you
5 could display material.

6 Q. You would agree with me it's possible to
7 display a web page in an application that's not a
8 browser?

9 MR. LEE: Objection, form, relevance.

10 THE WITNESS: I don't really -- I don't
11 really know. I think that's likely.

12 BY MR. FRANZINI:

13 Q. You think that's likely?

14 A. I think so, but I don't know.

15 Q. There's nothing about the definition of a web
16 page that would require it to be displayed in a
17 browser, right?

18 MR. LEE: Same objection.

19 THE WITNESS: I would actually think so.

20 I would be surprised if that were not the
21 case, but I think that a browser at this point
22 requires a -- I'm sorry, a web page requires a browser
23 to call it up.

24 BY MR. FRANZINI:

25 Q. Well, you could have a document on the World

1 Wide Web that's displayed within an app, for example,
2 on a mobile phone, right?

3 MR. LEE: Same objection.

4 THE WITNESS: Right. Whether you would call
5 that a web page, I don't know.

6 BY MR. FRANZINI:

7 Q. If it's a document on the World Wide Web
8 that's available for a user in an application program,
9 then it would be a web page, right?

10 MR. LEE: Objection, form.

11 THE WITNESS: You're defining it as a web
12 page and I'm saying that's fine.

13 I'm willing to have the definition of a web
14 page expanded to include that, but I have thought of
15 them in common popular parlance that requires a
16 browser.

17 MR. FRANZINI: Let's take a break.

18 THE VIDEOGRAPHER: Time is 5:27 and we're off
19 the record.

20 (Recess taken.)

21 THE VIDEOGRAPHER: The time is 5:36 and we're
22 on the record.

23 BY MR. FRANZINI:

24 Q. Sir, would you agree with me that a
25 fundamental aspect of the Knowledge Assembly patent

1 applications and the Knowledge Assembly system
2 embodiment was that only a small number of people,
3 knowledge providers, could upload videos?

4 A. Yes.

5 Q. Not everyone is a knowledge provider, right?

6 A. That's correct.

7 Q. It's very different from a system like
8 YouTube where anyone can go and upload whatever video
9 they like, right?

10 MR. LEE: Objection, form, calls for
11 speculation.

12 THE WITNESS: It is different.

13 On the other hand, the number of viewers
14 wants to be unlimited.

15 BY MR. FRANZINI:

16 Q. There may be some similarities, but it's
17 fundamentally a very different system, right?

18 A. Absolutely.

19 Q. Another fundamental aspect of the Knowledge
20 Assembly patent applications and the Knowledge
21 Assembly system was that the videos that would be
22 uploaded would be on a focused topic, correct?

23 A. Correct.

24 Q. It was important for your invention that a
25 moderator keep control over the conversation, right?

1 A. Yes.

2 Q. And one of the things you say in your patent
3 application is the importance of the moderator's role
4 cannot be understated in this application?

5 A. Right.

6 Q. Do you agree with that?

7 A. I do.

8 Q. Why was the moderator so important?

9 A. Because there are certain process issues in
10 any meeting that are more important at a meeting which
11 is occurring asynchronously, that is when people are
12 not actually interacting with one another.

13 So the moderator needs to add -- the
14 conversation would be taking place likely over a
15 period of days, even weeks.

16 So people would tend to want to talk about
17 the latest development here or there, want to change
18 the subject.

19 The moderator needs to be in charge of the
20 subject matter and there needs to be agreement on
21 several things among the knowledge providers including
22 letting the moderator change the subject.

23 The other point is that the moderator is the
24 source of feedback from the viewing audience. There
25 might be questions that would be asked by the

1 moderator of the viewing audience and those would be
2 collected and tabulated and presented perhaps in a
3 slide show format by the moderator.

4 So there are a number of functions that are
5 really critical.

6 Q. Would you agree that it was important in the
7 system described in your patent application and its
8 embodiment for the moderator to keep close control
9 over what videos were posted?

10 MR. LEE: Objection, form.

11 THE WITNESS: No. I don't see -- I never saw
12 the moderator being one who eliminated a video, at
13 least not without considerable thought and getting in
14 touch with the person who put up the video.

15 BY MR. FRANZINI:

16 Q. It was still important for the moderator to
17 monitor what videos were being posted, right?

18 A. Yes, if only to make sure that when a
19 knowledge provider put up a clip, it got responded to
20 by the moderator or someone else so-called at the
21 table.

22 Q. Did the moderator make sure the video was
23 appropriate to be posted before it was posted?

24 A. No. That -- the control of the quality of
25 the videos was on the front end with the selection of

1 the knowledge provider and the definition of the
2 subject matter. Generally, a knowledge provider would
3 have a reputation to uphold and that would be a
4 control over quality.

5 Q. Now, sir, your patent applications taught to
6 use text-based identifiers like the name of the
7 knowledge provider, the subject matter and so forth to
8 identify videos on a web page, right?

9 A. Correct.

10 Q. If a person of ordinary skill in the art
11 wanted to follow the teachings of your patent
12 applications, they would be led to use alphanumeric
13 strings, not video frame images to identify video
14 files on a web page, right?

15 MR. LEE: Objection, form, calls for
16 speculation.

17 THE WITNESS: Right.

18 BY MR. FRANZINI:

19 Q. Now, we talked before about how the product
20 description on your web site talked about uploading a
21 video file via an application program that was
22 installed on the user's computer?

23 A. Yes.

24 Q. If a person of ordinary skill in the art
25 wanted to follow those teachings on those product

1 descriptions, they would be led to use application
2 software installed on a user's computer and not a web
3 page to upload video files, right?

4 MR. LEE: Objection, form, calls for
5 speculation.

6 THE WITNESS: We were encouraging the use of
7 the client software to make it easier for knowledge
8 providers.

9 BY MR. FRANZINI:

10 Q. If a person of ordinary skill in the art
11 wanted to follow the teachings that you placed on your
12 web site, they would be led to use application
13 software installed on their computer and not a web
14 page to upload video files?

15 A. No --

16 MR. LEE: Objection, form, calls for
17 speculation.

18 THE WITNESS: Actually, the -- to be clear,
19 the Knowledge Producer software, the server software,
20 was used only by knowledge providers.

21 The others -- anyone watching would be
22 accessing the discussion over a web page.

23 BY MR. FRANZINI:

24 Q. I want you to focus on my question, please --
25 withdrawn.

1 If a person of ordinary skill in the art
2 wanted to follow the teaching of the product
3 description of your web site, they would be led to use
4 the application software installed on the user's
5 computer and not a web page to upload video files,
6 correct?

7 MR. LEE: Objection, form, calls for
8 speculation.

9 THE WITNESS: If they were creating videos
10 and going to upload them, then we would encourage them
11 to use the server software to create the videos.

12 BY MR. FRANZINI:

13 Q. If they wanted to follow the teachings on
14 your web page --

15 A. Follow the teachings --

16 Q. Withdrawn.

17 If a person of ordinary skill in the art
18 wanted to do what you encouraged people to do on your
19 product description web page, they would be led to
20 upload video files via an application installed on a
21 computer and not via a web page, correct?

22 MR. LEE: Objection, form, calls for
23 speculation.

24 THE WITNESS: I think that's the case.

25 BY MR. FRANZINI:

1 Q. Now, please turn to paragraph 37 of your
2 report again.

3 If you look at the very last paragraph,
4 paragraph K on page 19 --

5 A. Yes.

6 Q. -- "It is my opinion that the '608 and '302
7 patents do not claim novel and nonobvious subject
8 matter"?

9 A. Right.

10 Q. That's the first time the '302 patent is
11 mentioned in your report, right?

12 A. Right.

13 Q. You don't provide any explanation in that
14 opinion with respect to the '302 patent, right?

15 A. That's correct.

16 Q. You don't provide any analysis of how the
17 disclosures in either your patent application or your
18 embodiment map onto the limitations of the '302
19 patent, right?

20 A. Correct.

21 Q. In that same paragraph you also say --
22 withdrawn.

23 In the very last page you say that the '302
24 patent and the -- withdrawn.

25 On the last page of your report you say that

1 the '608 patent's claims -- withdrawn.

2 On the last paragraph of your report you say
3 that the '608 patent's claims are directed only to
4 known and obvious methods of distributing video
5 content using already existing and readily available
6 software tools and components.

7 Do you see that?

8 A. Yes.

9 MR. LEE: Objection, form.

10 BY MR. FRANZINI:

11 Q. In your report you don't identify any reason
12 why a person of ordinary skill in the art would be
13 motivated to either modify the teachings of your
14 application or combine them with other teachings to
15 reach the claims of the '608 patent, right?

16 MR. LEE: Objection, form.

17 THE WITNESS: Would you repeat that?

18 BY MR. FRANZINI:

19 Q. You don't articulate in your report any
20 reason why a person of ordinary skill in the art would
21 have -- would want to modify or combine the claims --
22 withdrawn.

23 In your report, you don't identify any reason
24 why a person of ordinary skill in the art would modify
25 or combine the teachings of your patent application or

1 your embodiment to reach the claims of the '608
2 patent, correct?

3 MR. LEE: Objection, form, mischaracterizes
4 the document.

5 THE WITNESS: That's -- if I understand you
6 correctly, I think that's true.

7 BY MR. FRANZINI:

8 Q. Now, in your opinions in paragraphs A through
9 J -- withdrawn.

10 In your opinions in paragraph 37, A through
11 J, you don't provide any analysis to show that any of
12 these statements are true beyond the paragraphs of
13 your report cited in each paragraph?

14 MR. LEE: Objection, form, mischaracterizes
15 the document.

16 BY MR. FRANZINI:

17 Q. True?

18 A. I don't cite anything beyond the paragraphs
19 in the report, that's correct.

20 Q. You don't provide an analysis of how each
21 limitation of the '608 patent maps onto particular
22 disclosures in your patent application or in your
23 embodiment?

24 MR. LEE: Objection, form, mischaracterizes
25 document.

1 THE WITNESS: You need to repeat that.

2 BY MR. FRANZINI:

3 Q. In your report, you don't provide a
4 limitation-by-limitation analysis showing where each
5 limitation of each claim of the '608 patent is found
6 in your patent applications or in your embodiment,
7 correct?

8 MR. LEE: Same objection.

9 THE WITNESS: We don't do it item by item in
10 this, yes.

11 BY MR. FRANZINI:

12 Q. You don't go through and show each limitation
13 is met by a disclosure in your patent application,
14 right?

15 MR. LEE: Objection, form.

16 THE WITNESS: Repeat that. I'm not sure I
17 understand it.

18 BY MR. FRANZINI:

19 Q. You don't go through each limitation of the
20 claims --

21 A. Limitation of the claims, okay.

22 Q. Sir, do you know what a limitation is?

23 A. Yeah.

24 Q. Do you go through and show that each
25 limitation of claim 1 of the '608 patent is found in

1 your patent application?

2 MR. LEE: Objection, form.

3 THE WITNESS: Well, I think that's part of
4 what we were doing in this -- in this report.

5 BY MR. FRANZINI:

6 Q. You don't go through each limitation, though,
7 right?

8 A. That's correct.

9 Q. Do you know what a claim construction order
10 is?

11 A. Yes.

12 Q. Do you understand that the Court gave
13 definitions for certain claims?

14 A. I do.

15 Q. You didn't mention any of those definitions
16 in your report, true?

17 A. That's correct.

18 Q. You didn't try to apply any of the Court's
19 constructions of any of the claims of the '608 patent
20 to the disclosures in your patent application, true?

21 A. That's correct.

22 Q. Are you still in touch with Mr. Reinhart?

23 A. Yes.

24 Q. Does Mr. Reinhart have any additional
25 information about the Knowledge Assembly system?

1 MR. LEE: Objection, form.

2 THE WITNESS: He does not.

3 BY MR. FRANZINI:

4 Q. You asked him?

5 A. I asked him if he had any materials that he
6 had saved or any source code or anything that would
7 help to validate what we did and he actually did a
8 search and found that he did not.

9 Q. Mr. Reinhart has knowledge of how the system
10 worked, right?

11 A. Yes.

12 Q. He has better knowledge of the technical
13 operation of the system than you do, right?

14 A. Correct.

15 Q. If I had the opportunity to take
16 Mr. Reinhart's deposition, I would have been able to
17 find additional information about the Knowledge
18 Assembly embodiment, right?

19 MR. LEE: Objection, form, calls for
20 speculation.

21 THE WITNESS: I think that's true.

22 BY MR. FRANZINI:

23 Q. I would have also had the opportunity to find
24 out more about the meaning of disclosures in your
25 patent application, right?

1 MR. LEE: Same objection.

2 THE WITNESS: Yes.

3 BY MR. FRANZINI:

4 Q. Did you tell Google or Vimeo's lawyers that
5 Mr. Reinhart had information about the Knowledge
6 Assembly system?

7 MR. LEE: I instruct the witness to answer if
8 you can answer without revealing any privileged
9 information.

10 THE WITNESS: I had -- I made -- they were
11 aware of George Reinhart's existence and what his role
12 was and, of course, that he participated in the
13 initial patent.

14 BY MR. FRANZINI:

15 Q. Now, in addition to Mr. Reinhart, there's
16 other people who have knowledge of the Knowledge
17 Assembly system, right?

18 A. Correct.

19 Q. Were there any other employees who worked on
20 it?

21 A. Yes.

22 Q. Who else worked on it?

23 A. Paul Wacek.

24 Q. Anyone else?

25 A. On the technical side, we had a -- basically

1 a high school student who was kind of managing the
2 hardware.

3 Q. What was his name?

4 A. I can't remember his name.

5 Q. Also there was beta testers, right?

6 A. Correct.

7 Q. They would have had knowledge of how the
8 system worked?

9 A. That's correct.

10 Q. There's at least three of them, right?

11 A. Correct.

12 Q. Probably more?

13 A. Probably more.

14 Q. There are also -- withdrawn.

15 You showed an embodiment of the Knowledge
16 Assembly system at the Multimedia Comm Conference,
17 correct?

18 A. Yes.

19 Q. There were people there, right?

20 A. Yes.

21 Q. Was it open to the public?

22 A. It's an industry forum, it's not for public.

23 So you needed to be in the industry really to
24 know about it and be interested in the results of it.

25 Q. The Multimedia Comm Conference was not open

1 to the public, right?

2 A. As far as I know.

3 I think if you knew it was there and you
4 wanted to go, then you could go.

5 It wasn't limited.

6 Q. So as of December or January -- withdrawn.

7 As of December 2015 or January of 2016,
8 Google knew that you existed and had your contact
9 information, correct?

10 A. That's -- I believe so, yes. I'm not sure
11 about those dates.

12 Q. You were first approached by Google
13 approximately in December of 2015 or January of 2016,
14 right?

15 A. Or I believe -- well, it was after the Durie
16 and --

17 Q. Withdrawn, I'll give you a better question.

18 You were approached by Akin Gump before
19 December of 2015?

20 A. Or in December, I'm not sure.

21 Q. By December of 2015, Akin Gump who
22 represented Google had your contact information,
23 correct?

24 A. Correct.

25 Q. They also knew you were knowledgeable about

1 the Knowledge Assembly system?

2 A. Yes.

3 Q. And that you were willing to testify?

4 A. That hadn't been discussed in detail. They
5 wanted to know what I had in the way of information.

6 Q. Did they know you were willing to provide
7 information for this case?

8 A. I said that I would be willing to talk about
9 what I did.

10 Q. Why did you decide to participate in this
11 case?

12 A. Because I feel as though what we did -- I
13 still feel as though this is an important mission that
14 is creating this software.

15 And I was, frankly, flattered by the interest
16 in what we were doing and when and the fact that we
17 were early on in the industry to do such a thing.

18 Q. Please turn to Exhibit 5, which is the
19 patent, the '608 patent.

20 I think it's right in front of you.

21 A. Right in front of me, yes, okay.

22 Q. Turn to claim 1.

23 A. Pardon me?

24 Q. Please turn to claim 1.

25 A. Okay.

1 Q. Claim 1 says "receiving, by a receiving
2 computer, via a web page."

3 Do you see that?

4 A. Wait a minute. This is not the '608.

5 Q. I didn't give you the '302, so it's the '608.
6 I believe that's the '608.

7 A. You're reading from -- on page --

8 Q. Beginning of --

9 A. You're on the claims?

10 Q. Beginning of column 30, claim 1.

11 A. I see.

12 Q. Says "receiving, by a receiving computer via
13 a web page."

14 Do you see that?

15 A. Yes.

16 Q. You agree that if a web page is used to set
17 up a connection that permits a file to be transferred,
18 the file transfer occurs via a web page?

19 MR. LEE: Objection, form.

20 THE WITNESS: Okay. So you're saying -- say
21 this again.

22 BY MR. FRANZINI:

23 Q. Receiving a video file via a web page
24 requires a web page to be involved in some way in
25 order for the receiving to take place?

1 MR. LEE: Objection, form, relevance.

2 THE WITNESS: Yes, I think that a web page
3 needs to be involved.

4 BY MR. FRANZINI:

5 Q. If it's used to set up a connection that
6 permits a file to be uploaded, then the receiving
7 occurs via a web page, right?

8 MR. LEE: Same objection.

9 THE WITNESS: The receiving occurs via a web
10 page, correct.

11 BY MR. FRANZINI:

12 Q. Okay. Now, I'm showing you a document --

13 MR. FRANZINI: Have this marked as
14 Exhibit 13.

15 (Whereupon, Exhibit 13, Order construing the
16 terms of U.S. Nos. 8,438,608 and 8,646,302, was
17 marked for identification.)

18 BY MR. FRANZINI:

19 Q. I'm showing you the claim construction order
20 in this case.

21 Have you seen this document before?

22 A. Yes.

23 Q. Please turn to page 4, at the top it says
24 "The term 'executing in response to the video, an
25 automated function automatically performing each of'

1 is construed to mean in response to the receiving" --
2 withdrawn.

3 Did you apply the construction in paragraph 7
4 of the Court's Markman order in reaching your opinions
5 in this case?

6 A. I felt it was -- that we complied with this.

7 Q. The construction says that in response to the
8 receiving computer receiving the video file, the
9 receiving computer needs to carry out computer
10 instructions without the need for intervention by a
11 human operator.

12 Do you see that?

13 A. Yes.

14 Q. Now, in that construction, the human operator
15 is referring to an operator of the system, right?

16 A. Yes.

17 Q. It's not referring to the user who is
18 uploading the video, right?

19 A. I think that's my reading of it.

20 Q. The Court's construction allows for
21 intervention by the user in the process of executing
22 the automated function automatically performing,
23 right?

24 MR. LEE: Objection, form, calls for a legal
25 conclusion.

1 THE WITNESS: Okay.

2 BY MR. FRANZINI:

3 Q. Is that your understanding as a person of
4 ordinary skill in the art?

5 A. That's my -- that's my reading of this.

6 Q. See how it says -- go back to the claim,
7 claim 1 of the '608.

8 A. Okay.

9 Q. See how it says "executing by the receiving
10 computer in response to the" -- withdrawn.

11 See how it says "executing in response to
12 receiving the video file"?

13 A. Yes.

14 Q. And then it requires the receiving computer
15 to carry out three steps, (b1), (b2), (b3)?

16 A. Yes.

17 Q. Those steps can be carried out in response to
18 both receiving the video file and some other action,
19 right?

20 MR. LEE: Objection, form, calls for a legal
21 conclusion.

22 THE WITNESS: I don't understand the
23 question.

24 BY MR. FRANZINI:

25 Q. Let's take the example of embedding the

1 identification tag into the web page.

2 If the embedding takes place in response to
3 receiving a video file as well as something else, for
4 example, checking whether there is a cookie on the
5 user's computer, that would meet the requirements of
6 claim 1, right?

7 MR. LEE: Objection, form, calls for a legal
8 conclusion.

9 BY MR. FRANZINI:

10 Q. Correct?

11 A. It seems so.

12 Q. So in your opinion, as a person of ordinary
13 skill in the art, executing by the receiving computer
14 in response to receiving the video file can be met
15 even if it's also in response to something else?

16 MR. LEE: Same objection.

17 THE WITNESS: Well, I'm getting lost here.

18 BY MR. FRANZINI:

19 Q. Well, in your report you point to generating
20 a web page in response to both receiving a video file
21 and information from the user's computer, right?

22 MR. LEE: Objection, form, mischaracterizes
23 document.

24 THE WITNESS: Repeat that, please.

25 BY MR. FRANZINI:

1 Q. In your report what you identify as
2 disclosing embedding a video file -- -- withdrawn.

3 In your report what you identify as meeting
4 the embedding limitation of claim 1 of the '608 patent
5 is something that's done in response to receiving a
6 video file and also in response to receiving
7 information from the user's computer, correct?

8 MR. LEE: Objection, form, mischaracterizes
9 document.

10 BY MR. FRANZINI:

11 Q. Is that true?

12 A. Yes.

13 Q. In your opinion, something that takes place
14 in response to receiving a video file and also
15 receiving information from the user will meet the
16 requirements of claim 1, right?

17 MR. LEE: Objection, form, calls for a legal
18 conclusion.

19 THE WITNESS: I'm lost.

20 BY MR. FRANZINI:

21 Q. Well, the claim doesn't say executing
22 exclusively in response to receiving the video file,
23 right?

24 MR. LEE: Objection, form.

25 THE WITNESS: In response to receiving the

1 video file an automated function automatically
2 performs each of and -- I don't understand where
3 you're going.

4 BY MR. FRANZINI:

5 Q. Sir, in your report, you point to
6 something -- withdrawn.

7 You point to a disclosure of embedding that's
8 done in response to receiving a video file and also
9 information received from a user's computer, the
10 cookie, right?

11 A. Yeah.

12 Q. In your opinion, you can meet the limitation
13 embedding the identification tag into a web page by an
14 action that's performed in response to receiving a
15 video file and also receiving information from the
16 user's computer, correct?

17 MR. LEE: Objection, form, mischaracterizes
18 document, calls for a legal conclusion.

19 THE WITNESS: I have thought that this was an
20 automated function that did not require human
21 intervention.

22 The fact that people have entered in their
23 information in their personal computer and then
24 uploaded it has felt to me as though it's part of the
25 automated process.

1 BY MR. FRANZINI:

2 Q. And in your opinion, if a person visits the
3 Knowledge Assembly web site and as a result of that,
4 they -- the web page is generated and displayed to
5 them, that's still part of the automated process,
6 right?

7 MR. LEE: Objection, form, calls for
8 speculation, calls for a legal conclusion.

9 THE WITNESS: Again, if people go to the
10 Knowledge Assembly web site and there's a web page
11 that's provided to them, then what?

12 BY MR. FRANZINI:

13 Q. Then that would meet the requirements of
14 embedding an identification tag into a web page even
15 though that happens both in response to receiving a
16 video file and in response to that user actually going
17 to the web page?

18 MR. LEE: Same objection.

19 THE WITNESS: That sounds accurate to me.

20 BY MR. FRANZINI:

21 Q. Sir, step (b1) talks about converting the
22 video file into a streaming video file.

23 Do you see that?

24 A. Yes.

25 Q. Now, that can be done through a multi-step

1 process, right?

2 A. A multi-step process -- say more.

3 Q. So, for example, if a video file is converted
4 into one format and then converted into a second
5 format, the process of converting it from the original
6 format to the second format meets the requirements of
7 converting the video file into a streaming video file
8 format, right?

9 MR. LEE: Objection, form, calls for a legal
10 conclusion.

11 THE WITNESS: Yes, I believe so.

12 BY MR. FRANZINI:

13 Q. There's nothing about claim 1 that requires
14 the converting recited in step (b1) to happen in a
15 single step, right?

16 MR. LEE: Objection, form.

17 THE WITNESS: I don't know why -- yeah, it
18 doesn't seem to require a single step.

19 MR. LEE: Okay. Simon, I think seven hours
20 is up.

21 MR. FRANZINI: If you're cutting -- I still
22 have more questions and I think he wasted a bunch of
23 time, but if you're cutting me off, we will deal with
24 that later.

25 MR. LEE: We can agree to disagree.

1 Clearly, he has been answering questions,
2 been taking time to look through his report to
3 truthfully and accurately respond to the questions
4 you've been asking him.

5 MR. FRANZINI: We don't have to have a whole
6 conversation on the record, but for the record I want
7 more time with this witness.

8 MR. LEE: We can agree to disagree.

9 MR. FRANZINI: Are you shutting down the
10 depo?

11 MR. LEE: I'm sorry?

12 MR. FRANZINI: We're done?

13 MR. LEE: I have no questions.

14 MR. FRANZINI: You're shutting me off?
15 You're not going to allow me to keep asking questions?

16 MR. LEE: You used up the seven hours.

17 MR. FRANZINI: Not going to allow me to ask
18 any more questions?

19 MR. LEE: Correct.

20 THE VIDEOGRAPHER: This marks the end of
21 volume 1, disk 4 and concludes the deposition of
22 Michael Keehan.

23 The time is 6:08 and we are off the record.

24 (Time noted: 6:08 p.m.)

25

J U R A T

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I, _____, do hereby certify under
penalty of perjury that I have read the foregoing
transcript of my deposition taken on _____ ;
that I have made such corrections as appear noted
herein in ink, initialed by me; that my testimony as
contained herein, as corrected, is true and correct.

DATED this ____ day of _____, 20 __ ,
at _____, _____ .

SIGNATURE OF WITNESS

1 I, LOUISE MARIE SOUSOURES, a Certified
2 Shorthand Reporter, hereby certify that the witness in
3 the foregoing deposition was by me duly sworn to tell
4 the truth, the whole truth, and nothing but the truth
5 in the within-entitled cause;

6 That said deposition was taken down in
7 shorthand by me, a disinterested person, at the time
8 and place therein stated, and was hereafter
9 transcribed, by computer, into typewriting, under my
10 direction and supervision;.

11 That before completion of the deposition
12 review of the transcript () was requested (x) was
13 not requested. If requested, any changes made by the
14 deponent (and provided to the reporter) are appended
15 hereto.

16 I further certify that I am not of counsel,
17 nor attorney for any of the parties in the foregoing
18 deposition and caption named, nor in any way
19 interested in the outcome of the cause named in said
20 caption, and that I am not related to any of the
21 parties hereto.

22 DATE:5-5-2016

23 _____
24 LOUISE MARIE SOUSOURES, CSR. NO 3575
25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX OF EXAMINATIONS

	PAGE
BY MR. FRANZINI	6

INDEX OF EXHIBITS

NUMBER	DESCRIPTION	PAGE
Exhibit 1	Report of Michael Keehan	6
Exhibit 2	Document entitled "Federal Rules of Civil Procedure Rule 26"	24
Exhibit 3	Document entitled "Extract from the register of European patents"	38
Exhibit 4	Bound documents	46
Exhibit 5	Document entitled "Exhibit A" containing U.S. patent 8,438,608	47
Exhibit 6	Document entitled "Knowledge Assembly, Inc. demonstration channel" Bates stamps GOOG-VS-PA 00016543 to 544	78

INDEX OF EXHIBITS (CONTINUED)

NUMBER	DESCRIPTION	PAGE
Exhibit 7	Document entitled "Knowledge Assembly, Inc. product description" Bates stamps GOOG-VS-PA 00016537 to 538	84
Exhibit 8	PCT application, Bates stamps GOOG-VS-PA 00015690 to 737	96
Exhibit 9	Document entitled "Knowledge Assembly description"	121
Exhibit 10	E-mail string dated Wednesday, February 24, 1999, Bates stamps GOOG-VS-PA 00018481 to 482	135
Exhibit 11	E-mail dated Wednesday, February 16, 2000, Bates stamps GOOG-VS-PA 00018483	136
Exhibit 12	E-mail dated Wednesday, December 30, 1998, Bates stamps GOOG-VS-PA 00018480	138
Exhibit 13	Order construing the terms of U.S. Nos. 8,438,608 and 8,646,302	251

ERRATA SHEET FOR THE TRANSCRIPT OF:

Case Name: VIDEOSHARE VS GOOGLE, ET AL.

Dep. Date: TUESDAY, MAY 3, 2016

Deponent: MICHAEL KEEHAN

CORRECTIONS:

Pg.	Ln.	Now Reads	Should Read	Reason
7	_____	_____	_____	_____
8	_____	_____	_____	_____
9	_____	_____	_____	_____
10	_____	_____	_____	_____
11	_____	_____	_____	_____
12	_____	_____	_____	_____
13	_____	_____	_____	_____
14	_____	_____	_____	_____
15	_____	_____	_____	_____
16	_____	_____	_____	_____
17	_____	_____	_____	_____
18	_____	_____	_____	_____

Signature of Deponent

SUBSCRIBED AND SWORN BEFORE ME

THIS ____ DAY OF _____, 2016.

(Notary Public) MY COMMISSION EXPIRES: _____

MICHAEL KEEHAN